

1 Tuesday, 29 March 2011

2 (9.30 am)

3 MR MACKENZIE: Good morning, sir. We return to the topic C1  
4 today and the witness today is Professor Leikola.

5 PROFESSOR ERKKI JUHANI LEIKOLA (sworn)

6 Questions by MR MACKENZIE

7 MR MACKENZIE: Good morning, professor.

8 A. Good morning.

9 Q. Professor, I would like to started by looking at your  
10 CV, please. That will come up on the screen in front of  
11 you, and the number is WIT0030004.

12 I think if we go to your qualifications, professor,  
13 we see you graduated from the University of Helsinki in  
14 1966 with which degree?

15 A. Yes.

16 Q. Which degree did you graduate in 1966?

17 A. So-called licentiate of medicine. That means physicians  
18 licentiate.

19 Q. Is that similar to a bachelor of medicine?

20 A. That's right.

21 Q. I understand. And then a doctor of medicine in 1968?

22 A. That's right.

23 Q. We then see you went to the USA in 1969. We will come  
24 back to that. You then obtained a qualification in  
25 immunology in 1972. You became a professor in 1992 and

1 a fellow of the Royal College of Physicians in Edinburgh  
2 between 1994 and 2002.

3 Then when we look at your appointments. We see that  
4 between 1968 and 1969 you were an assistant physician in  
5 internal medicine and anaesthesiology at Helsinki and  
6 then between 1969 and 1972 you were an assistant  
7 surgeon. I think you then had a change of direction.  
8 Is that correct to say?

9 A. That's correct.

10 Q. And between 1972 and 1975 you were the head of the  
11 department of blood group serology in the National  
12 Public Health Institute, Helsinki. Can you briefly  
13 explain, please, what your duties were in that post?

14 A. Yes.

15 Q. What were your duties in that post?

16 A. The National Public Health Institute used to be called  
17 National Serum Institute and it was pure laboratory  
18 investigation concerning blood group serology, screening  
19 pregnant mothers for red cell antibodies and also some  
20 forensic serology.

21 Q. Thank you. Then between 1975 and 1988 you were the  
22 director of laboratory services for the Finnish  
23 Red Cross Blood Transfusion Service. Can you again,  
24 perhaps, tell us about your duties in that job between,  
25 say, 1975 and the early 1980s?

1 A. My duties were really concerned with the laboratory  
2 technology, the administration of the laboratory, which  
3 was the only laboratory within the National Blood  
4 Transfusion Service in Finland. We introduced more  
5 sensitive tests for Hepatitis B. We introduced  
6 different types of automation to the laboratory routine.  
7 There was a staff of about, I think, 90 people and I was  
8 in charge of all these laboratory aspects of the Finnish  
9 Red Cross Blood Transfusion Service.

10 Q. And in that post did you have any dealings with the  
11 clinicians? Did you actually have any responsibility  
12 for clinical matters?

13 A. I had no contacts with patients themselves. I had  
14 frequent contacts with different clinicians, surgeons,  
15 haematologists and so on concerning blood group  
16 compatibility, concerning blood transfusion safety and  
17 so on, but no direct contacts with the medical  
18 profession per se.

19 Q. Thank you, professor. Then we see that in 1988 until  
20 you retirement in 2001, you were the director of the  
21 Finnish Red Cross Blood Transfusion Service. Again  
22 could you perhaps briefly indicate, please, your main  
23 duties in that job.

24 A. Well, the Finnish Red Cross Blood Transfusion Service  
25 included both the transfusion service, the laboratory

1 service and also fractionation laboratory. They were  
2 all included in the same organisation and I was in  
3 charge of all these operations within the organisation.  
4 That means that I had the position of an executive  
5 director there. Therefore, I was not personally  
6 involved in any laboratory tests or, for that matter,  
7 I really was the administrative head of the  
8 organisation, having also the responsibility to be the  
9 main contact person with our international colleagues.

10 Q. Thank you. And we then see you had various posts  
11 abroad, in particular between 1969 and 1970 you were  
12 World Health Organisation research fellow at the  
13 University of California in San Francisco. What did you  
14 do there?

15 A. That was purely a research fellow position,  
16 post-doctoral position. I was interested in immunology,  
17 especially concerning red cell membranes and antibodies  
18 against red cells, and I was doing some research there.  
19 It had nothing to do with blood transfusion as such.

20 Q. Yes. Is it fair to say, doctor, that your first work in  
21 blood transfusion really was in 1972, when, as we saw,  
22 you became the head of the department of blood group  
23 serology?

24 A. And then only indirectly because the public health  
25 institute did not investigate blood donors or blood

1 recipients; there were only pregnant mothers and  
2 forensic medicine. I had informal contacts with my  
3 colleagues at the transfusion service because we were  
4 interested in the same matters but that was unofficial  
5 and indirect.

6 Q. So it's really in 1975 when you joined the Finnish  
7 Red Cross Blood Transfusion Service that your work  
8 became centred in the blood transfusion area?

9 A. Yes.

10 Q. Thank you. And then to complete your visits abroad, we  
11 also see that between 1977 and 1978 you returned to  
12 America, this time as a visiting scientist at the Irwin  
13 Memorial Blood Bank in San Francisco. In brief,  
14 professor, what did you do there?

15 A. When I came to the Blood Transfusion Service in Finland,  
16 the Finnish Red Cross, in February 1975, the whole  
17 organisation was in the middle of organising an  
18 international congress in Helsinki the following summer,  
19 and therefore I was very much involved in the practical  
20 arrangements within that congress. That happened to  
21 coincide with the European Security Congress, or  
22 whatever it was mentioned. There were a number of  
23 problems. Therefore, I wanted to have some time to  
24 continue my research and therefore we made an  
25 arrangement that I could go to San Francisco, that

1 I knew beforehand, to spend one year there having  
2 nothing else to do than research, continue what I had  
3 been doing before.

4 Q. And in brief, professor, the research was on ...?

5 A. That was immunology. That was once again red cells, but  
6 other aspects of immunology also.

7 Q. I understand. We also see, professor, that between 1982  
8 and 1986 you were the head of the blood programme  
9 department for the League of Red Cross and Red Crescent  
10 Societies in Geneva, and again, professor, what were  
11 your main duties and responsibilities in that post?

12 A. They were mostly giving advice and consulting the  
13 various Red Cross blood transfusion services around the  
14 world. Also some other transfusion services were  
15 involved. At that time we did not make a big difference  
16 between the state-run organisations and Red Cross-run  
17 organisations. Main emphasis was developing countries,  
18 where we felt that we could give some assistance and  
19 some teaching possibilities for those services that were  
20 emerging. One of the main tasks there was to promote  
21 the voluntary and non-remunerated blood donation as well  
22 as national self-sufficiency within blood products.

23 Q. Thank you. We then turn to various positions of trust  
24 in the scientific field you have held. You set out you  
25 have been a supervisor, assessor and opponent of MD and

1        PhD theses at four universities. You have been editor  
2        in chief of Transfusion International between 1982 and  
3        1986. What is Transfusion International?

4    A.    That was a newsletter by my small organisation in  
5        Geneva, by the League of Red Cross Societies,  
6        information leaflet, newsletter.

7    Q.    I see. And then foundation of the journal  
8        Vox Sanguinis. Member of the board, 1992 to 1994, and  
9        president 1994 to 2003. What is that journal?

10   A.    That is a scientific -- one of the leading research  
11        journals within blood transfusion science.

12   Q.    When you say foundation of the journal, does that mean  
13        you were involved in founding the journal?

14   A.    Well, I was the -- the journal was governed by  
15        a foundation and owned by a foundation and the  
16        foundation appointed the editor in chief and other  
17        editors but otherwise it had virtually nothing to do  
18        with the content of that journal. It was much more  
19        a governing position there; including finances and so  
20        on.

21   Q.    I see. Also you were the editor of Current Studies in  
22        Haematology and Blood Transfusion, 1992 to 1998,  
23        a member of the editorial board of the journal  
24        Haematologia in 1992 to 2003, a member of the editorial  
25        board of the journal Transfusion Medicine, 1994 to 2006

1 and then member of the editorial board of the journal,  
2 ABO - Revista De Medicina Transfusional. 1999 to 2001.

3 What journal is that, professor?

4 A. That is a Spanish journal for blood transfusion. It is  
5 a very small little journal and I think it stopped  
6 coming out a few years ago.

7 Q. I see.

8 A. These were more or less sort of honorary positions where  
9 I had very little in practice to do.

10 Q. Thank you. You then list domestic positions of trust.  
11 You explain you have been the chairman, secretary or  
12 member of numerous state, professional and Red Cross  
13 committees and working parties, societies, et cetera,  
14 and also were a member of the board of directors of  
15 Orion Corporation, Helsinki, in 1994 to 2004 and were  
16 also chairman of that company. What did that company  
17 do, professor?

18 A. Could you, please, repeat, please.

19 Q. Orion Corporation?

20 A. That is a pharmaceutical company.

21 Q. I see. Then you set out various international positions  
22 of trust. You were in the International Society of  
23 Blood Transfusion, you were a council member between  
24 1980 to 1990. You were the chairman or member of  
25 numerous committees and working parties in the



1 Council of Europe. You were an observing member of the  
2 select committee of experts on quality control and  
3 automation, 1975 to 1984, and again, in the  
4 Council of Europe, you were a member of the committee of  
5 experts on blood transfusion and immuno-haematology  
6 between 1982 and 2001 and chairman, 1994 to 1996.

7 To pause there, professor. As lawyers, I think we  
8 are familiar with the Council of Europe from a legal  
9 perspective, in particular the Convention On Human  
10 Rights and the European Court of Human Rights. What  
11 role did the Council of Europe have in health matters  
12 and blood transfusion matters in the 1970s and 1980s?

13 A. At that time the EEC or EC did not have anything to do  
14 with health per se and the Council of Europe established  
15 a committee of specialists. I think originally after  
16 the dam disaster in the Netherlands, where much blood  
17 was needed and there was no international organisation  
18 that could somehow co-ordinate these different donations  
19 to that particular country, and that was in late 1950s,  
20 I believe. And then it grew sort of gradually to  
21 a forum of Western European representatives of various  
22 transfusion services and blood programmes, convened once  
23 a year in May, usually. It was able to give various  
24 recommendations -- nothing binding but  
25 recommendations -- but that was the only, let's say

1 official forum for these people within the fairly  
2 limited circle, to meet each other and discuss common  
3 problems that were in this particular area. And I think  
4 that within Europe in general this committee of experts  
5 or Council of Europe was a very important body in the  
6 general development of transfusion practice and  
7 transfusion safety.

8 Q. Thank you, professor.

9 Could we then go over the page, please? Now,  
10 professor on page 2 there is a long list of positions  
11 you have held. I'm not going to read out each one.  
12 I think instead I will ask that the complete list is  
13 inserted into the transcript of the evidence, but  
14 perhaps if I could pick out one or two, professor.

15 We see about number 6 down the International Group  
16 of Red Cross Blood Transfusion Experts. You were  
17 a member of that between 1982 and 2000. Then below that  
18 the advisory group of the blood programme of  
19 International Federation of Red Cross and Red Crescent  
20 Societies. Again, you were a member between 1982 and  
21 1994. I think we can also see reference to you having  
22 acted as an expert witness, in particular in 1990 in  
23 a litigation in Australia. I take it that was to do  
24 with blood transfusion matters?

25 A. Yes, that was in the State of Victoria; where there was

1 a big trial going on concerning transmission of HIV.

2 Q. I see.

3 A. And the AIDS virus.

4 Q. Then I think similarly you were appointed as an assessor  
5 by the Supreme Court in Denmark. Was that again to do  
6 with blood transfusion matters?

7 A. That was once again the question of haemophilia patients  
8 infected by HIV.

9 Q. Yes. And then the second bottom in that list, I think  
10 you appeared as an expert witness for the Lindsay  
11 tribunal of enquiry into the Irish blood transfusion  
12 Inquiry. Is that correct?

13 A. Yes, that was mainly hepatitis then.

14 Q. Thank you. Then honorary memberships. We see various  
15 things listed, including prizes. Then under "Scientific  
16 Publications", you explain that you have approximately  
17 100 articles and numerous congress abstracts on  
18 immunology, immuno-haematology and transfusion medicine.  
19 Various other textbook references are there, including  
20 editor along with three others on the management of  
21 blood transfusion services; World Health Organisation  
22 publication in 1990 and various other textbooks.  
23 I think again, professor, I will ask for the whole of  
24 page 2 to be included in the transcript to save us  
25 having to go through it in detail now.

1           So putting your CV to one side, please, professor,  
2           if I may then look at briefly a list of your scientific  
3           publications. The reference is [\[WIT0030007\]](#). I think  
4           you have provided this list, professor. Is that  
5           correct?

6   A. Yes.

7   Q. Again, I'm not going to go through it but in short we  
8           can see 105 publications, initially in the field of  
9           immunology and then later on in the field of blood  
10          transfusion medicine. Is that correct?

11 A. That is correct.

12 Q. Thank you. We can put that to one side.

13          The first statement I would like to look at, please,  
14          professor, is [\[WIT0030001\]](#). By way of background,  
15          professor, I think the Inquiry had asked you to provide  
16          a statement explaining the Blood Transfusion Service in  
17          Finland. Is that correct?

18 A. Yes.

19 Q. And this is the statement you have produced and in  
20          paragraph 1 you explain:

21          "This statement refers mainly to the period 1975 to  
22          2001."

23          We have already looked at your CV and then you  
24          explain in paragraph 2 that:

25          "The Finnish Red Cross Blood Transfusion Service was

1 established in 1948."

2 After the Second World War. You say in the final  
3 paragraph of paragraph 2 that:

4 "This is the background for a relatively centralised  
5 national organisation."

6 Can you explain briefly what you mean by "relatively  
7 centralised national organisation"?

8 A. This is the background for the fact that we in Finland  
9 did not establish separate blood centres around the  
10 country but the Red Cross established one organisation  
11 based in Helsinki, and having just one laboratory where  
12 all these samples would be sent from around the country.  
13 The idea of having centralised and national  
14 organisations included the idea of having just one  
15 national director, who would have an executive position,  
16 and in the various small collection centres that we had  
17 around the country there was no permanent, full-time  
18 medical head but these were mostly run by nurses and  
19 were then assisted in medical questions by local  
20 part-time consultants, that we called consultants. They  
21 were from various medical disciplines, helping in  
22 questions pertaining-- medical problems for the donors,  
23 whether they were eligible to donate and other things.

24 But this idea, that the Red Cross took this task  
25 after the war when there were very little resources

1 altogether, that led into the situation where the  
2 mainframe of the organisation having one big centre in  
3 Helsinki and a number of small little collection sites  
4 around the country then supplemented by different mobile  
5 collection teams, this is how it is running today.

6 Q. Thank you. And then we see in paragraph 3 that at the  
7 outset in 1948, the Finnish Red Cross appointed  
8 Dr Nevanlinna as the director of the new organisation.  
9 Professor Nevanlinna served in that position for  
10 40 years until 1988 and you were then appointed his  
11 successor. We can see the director reported to the  
12 secretary general of the Red Cross. Is that the Finnish  
13 Red Cross?

14 A. That is the Finnish Red Cross.

15 Q. But was accountable to the health authorities. How was  
16 the director accountable to the health authorities if he  
17 wasn't employed by them?

18 A. If the health authorities required or were asking  
19 different questions concerning the medical aspects of  
20 blood transfusion, both transfusion and donation, they  
21 would always contact Professor Nevanlinna directly and  
22 not go through the official organisation, going to the  
23 secretary general of the Finnish Red Cross, and then  
24 further on to the director of transfusion service.

25 So it was understood in Finland that the transfusion

1 service is directly accountable for professional items  
2 to the National Board of Health, without involving  
3 really the structure of the Finnish Red Cross per se.

4 Q. I see. You explain there was no separate medical  
5 director and the Finnish Red Cross determined the duties  
6 of the director of the Blood Transfusion Service and the  
7 job description was admirably short and stated:

8 "The director is responsible for the operations of  
9 the Blood Transfusion Service".

10 You explain the operations were governed by the  
11 board of the Finnish Red Cross Blood Transfusion Service  
12 and they had to follow the law, rules and regulations  
13 established by the health authorities. Then in  
14 paragraph 4 you explain some of the legal and regulatory  
15 background and that in short, plasma products were  
16 considered as pharmaceuticals and were regulated,  
17 certainly in the 1960s. I think you explain that the  
18 Finnish National Board of Health gave guidelines  
19 concerning the administration of blood products and  
20 later concerning blood donation and testing. You also  
21 explain in the first sentence of that paragraph that up  
22 until 1967 there were no legal regulations concerning  
23 blood donation and transfusion of blood beyond those  
24 concerning the medical profession in general.

25 In paragraph 5 you explain:

1            "There has never been any legal provision for the  
2 Finnish Red Cross to carry out a sole national blood  
3 transfusion service."

4            You do say in the final sentence that:

5            "Hospitals have not established own blood centres,  
6 with a few exceptions in the past."

7            Is that correct?

8            Then over the page, please, in paragraph 7, you say  
9 that:

10           "From the beginning, the organisational philosophy  
11 was to combine centralisation and decentralisation."

12           I think this is as you explained earlier, the  
13 Finnish Red Cross BTS had the headquarters, the  
14 laboratory, the plasma fractionation centre and related  
15 functions in Helsinki. In addition there were 33 to 34  
16 blood collection centres covering the whole country:

17           "[They] had no medical director but were managed by  
18 a local head nurse with guidance by a local part-time  
19 consultant doctor and under supervision of the medical  
20 staff in Helsinki."

21           You also explain there were fixed centres and mobile  
22 collections and that in 1980 there were a total of  
23 335,000 collections; Finland having a population of  
24 about 5 million. I think that is well above the average  
25 rate of donation in industrial market countries. Is



1           that correct?

2    A.   That is correct.

3    Q.   I think by way of comparison we know that in Scotland in  
4           1980 there were a total of 289,324 donations. I think  
5           Finland was a bit ahead of Scotland there.

6           I should pause and ask you, professor: in between  
7           1975 until the early 1980s did you ever attend a donor  
8           session in Finland?

9    A.   Personally?

10   Q.   Yes.

11   A.   Yes, I was giving blood myself.

12   Q.   I see.

13   A.   And that was a very -- especially later on, that was an  
14           extremely good way of exercising quality control of the  
15           whole procedures. I have given more than 100 times.

16   Q.   Did you go under cover or did the staff know who you  
17           were?

18   A.   Well, I think my people were actually quite happy that  
19           I was showing an example of giving blood and I very much  
20           enjoyed going to different places, sort of appearing all  
21           of a sudden and seeing how things were running but that  
22           was mostly -- yes, that was after 1975.

23   Q.   In short, professor, I'm interested in the succeed half  
24           of the 1970s and the early 1980s, before the arrival of  
25           AIDS. I'm interested in how a donor session was

1 conducted in Finland and in particular what questions  
2 were asked of donors. Are you able to help us with  
3 that?

4 A. Well, first of all we had fixed sites and most of the  
5 donors would come there spontaneously, or they were  
6 called by telephone and so on. They were small local  
7 communities where these regular donors especially, they  
8 knew each other, and that was the social occasion to  
9 come to give blood. For mobile sessions, these were  
10 planned and budgeted about half a year before, and then  
11 we had vans that would be carrying the equipment for  
12 mobile sessions. These were not so-called "blood  
13 donation buses" but they were carrying the equipment,  
14 what they needed for blood donation, with them to  
15 schools, different institutions and so on, where sort of  
16 field beds were then organised and the donors would have  
17 had information about that already before and then they  
18 would come to these places.

19 We did not believe very much in rapid medical check  
20 by a doctor because in our mind we would say that it's  
21 extremely difficult for a doctor to say in five minutes'  
22 time that you are healthy. Therefore, we relied very  
23 much on the questionnaire that was given to the donors  
24 and because that was a voluntary and unpaid system, we  
25 were fairly confident that they would not have any

1 reason, against the best of their knowledge, to answer  
2 those questions, especially because they were constantly  
3 explained that this is for their own safety and that was  
4 for the safety of the recipients of their products.

5 I think that it worked quite well all together  
6 because when the nurses had more and more experience,  
7 I think that they were quite good in seeing the donor  
8 when there was something suspicious about him and were  
9 sort of immediately ready to go deeper into the  
10 questions and then referring that to the consultant  
11 doctor.

12 Q. You mentioned something suspicious. Can you give an  
13 example or examples of that?

14 A. I'm sorry?

15 Q. You mentioned that a nurse may see something suspicious  
16 with a donor. Can you give an example of what might be  
17 something suspicious?

18 A. Right now I can't, no. But they could well see the big  
19 bulk of donors were behaving sort of similarly and if  
20 there was somebody who would have been more aggressive  
21 or clearly had something to hide when he was filling the  
22 questionnaire, that I mean would have been suspicious.

23 Q. And what sort of matters were included in the  
24 questionnaire in the late 1970s/early 1980s?

25 A. They were pertaining to different diseases. The donors

1 were also asked whether they have had hepatitis or  
2 jaundice. Jaundice/hepatitis within parenthesis. And  
3 the list of questions at that time was fairly short and  
4 I don't remember all the questions that we were --

5 Q. Do you remember, professor, whether the list of  
6 questions included whether the donor had ever injected  
7 or used drugs?

8 A. No, I don't think so.

9 Q. I'm grateful. Could I then, please, professor, return  
10 to your statement. In paragraph 9 you explain that:

11 "The organisational background ..."

12 To the Finnish Red Cross Blood Transfusion Service:

13 "... explains why it was relatively easy to  
14 implement a national policy once a decision had been  
15 made centrally by either the BTS board or by the  
16 director and his staff in Helsinki."

17 You explain that there were weekly senior staff  
18 meetings about but the director carried the legal  
19 responsibility. These meetings were only advisory in  
20 nature and that:

21 "In major policy questions, the National Board of  
22 Health was consulted."

23 That would be the National Board of Health of the  
24 Finnish state?

25 A. That's right.

1 Q. And then paragraph 10, you explain the financing of the  
2 Finnish Red Cross Blood Transfusion Service. But in  
3 short it is a non-profit but self-supporting  
4 organisation. So it charges the customers, mostly  
5 hospitals, the costs of products and services. The  
6 pricing structure of production was decided by the board  
7 of the Finnish Red Cross Blood Transfusion Service but  
8 was subject to approval by the National Board of Health.  
9 The finances also included funds for research and  
10 development and also scientific research was  
11 supplemented by various grants from different  
12 foundations and other sources. Is that correct?

13 A. Yes.

14 Q. Paragraph 11 you explain:

15 "The flexible financial arrangement, ie there was no  
16 direct public or Red Cross subsidies or budget  
17 allocations to the Finnish Red Cross Blood Transfusion  
18 Service, has made it possible, in my opinion, to act  
19 rapidly with new safety measures if these were deemed  
20 necessary by the professionals. An example is  
21 Hepatitis C testing that was started in Finland at the  
22 beginning of February and fully implemented  
23 in April 1990 even though it was not an official  
24 requirement."

25 Could I then, please, go over the page. In

1 paragraph 13 you explain that:

2 "Being the only BTS organisation in Finland had also  
3 a drawback: it was not possible to discuss various BTS  
4 issues nationally with other colleagues. Therefore  
5 international contacts were vital for planning for new  
6 policies and updating previous ones."

7 In paragraph 14 you explain that:

8 "At the time now in question ..."

9 Which time period are you referring to there,  
10 professor?

11 A. From 1975 until 1984.

12 Q. Thank you. You explain:

13 "... there were several forums where blood  
14 transfusion directors could meet and exchange ideas and  
15 opinions."

16 In particular:

17 "The congresses and meetings organised by the  
18 International Society of Blood Transfusion.

19 "The Council of Europe expert committee's annual  
20 meetings."

21 And also the Red Cross meetings. And then in  
22 paragraph 5 finally you say that:

23 "The directors of the major services ..."

24 Is that a reference, professor, to the directors of  
25 the Red Cross in the various countries?

1 A. Not necessarily only the Red Cross. I think that within  
2 Europe those were mostly the members of the  
3 Council of Europe expert committees. That included also  
4 those countries where the Red Cross was not playing  
5 a role.

6 Q. I see. So you mean simply the directors of the major  
7 blood transfusion services --

8 A. That is correct.

9 Q. -- in various countries took part in many of these  
10 meetings and saw each other on these occasions. In  
11 short, I think there was much discussion. Both formal  
12 and informal?

13 A. Hm-mm.

14 Q. I'm grateful, professor. We can put that statement to  
15 one side, please, and the next document is [\[WIT0030027\]](#).

16 Professor, I think you were also asked by the  
17 Inquiry to prepare a statement on our topic C1, namely  
18 donations in prisons and from donors with a history of  
19 jaundice. Is that correct?

20 A. That's correct.

21 Q. Could I ask, professor, what did you have access to and  
22 take into account when preparing this statement?

23 A. Well, I was very much relying on my previous statements  
24 to the various court processes that you referred to  
25 earlier. I went also once to the archives of the

1 Finnish Red Cross Blood Transfusion Service. However,  
2 that was not very helpful because I must say that the  
3 order of documents was minimal in those archives. In  
4 addition, I telephoned to a few of my colleagues there  
5 that were active at the service during my time and even  
6 before my time, and combining these little bits of  
7 information, I then made this statement.

8 Q. Thank you. Who were the colleagues, your former  
9 colleagues, you telephoned and spoke to?

10 A. Well, that was Dr Helske, who made the study on the  
11 prevalence of Australia antigen, as it was called at  
12 that time, in 1973/1974. Dr Koistinen who was  
13 responsible for the blood donations during my first  
14 years at the service and also before my time. These  
15 were my main contacts relating to this particular time.

16 Q. Helske spelt H-E-L-S-K-E and Koistinen spelt  
17 K-O-I-S-T-I-N-E-N?

18 A. Yes.

19 Q. And I think, professor, in compiling this statement you  
20 were also sent various documents by the Inquiry. In  
21 particular I think you have been sent a copy of all of  
22 the C1 statements. Is that correct?

23 A. That is correct.

24 Q. And also various other documents, including a document  
25 called a C1 chronology. You have seen that?



1 A. Hm-mm, yes.

2 Q. And also I think you were sent a copy of the 1972  
3 Wallace paper and the 1981 Barr paper, and also some  
4 hard copies, I think, just very recently, at the end of  
5 last week, perhaps, on the question of international  
6 guidance on donor selection?

7 A. That is true.

8 Q. Could I then, please, professor, turn to your statement.  
9 In paragraph 1 you explain that:

10 "Finland started testing blood donors for Australia  
11 antigen or HBsAg in 1970."

12 And you explain in paragraph 2 that:

13 "You commenced at the Finnish Red Cross Blood  
14 Transfusion Service in February 1975. The decision to  
15 stop blood collection in prison was made before that  
16 time ..."

17 So you have no personal recollections of the  
18 argumentation behind that decision but you explain:

19 "Something can be deduced from the documents  
20 available to [you] now and from interviews of some  
21 people working at the FRC BTS at that time."

22 Is that a reference to Dr Helske and  
23 Professor Koistinen?

24 A. Yes.

25 Q. You then explain in paragraph 3 that the then director

1 of the Finnish BTS, Professor Nevanlinna, wrote in 1955  
2 a small textbook on blood transfusion intended for  
3 doctors, medical students and nurses.

4 In the fourth edition of the book, 1972, but the  
5 foreword signed in 1971, there are two pages on serum  
6 hepatitis. You quote a passage:

7 "In our country research is going on currently on  
8 the epidemiology of Australia antigen and serum  
9 hepatitis. The screening for Australia antigen must be  
10 regarded as a major step for the safety of blood  
11 transfusion therapy. On the other hand, only about one  
12 quarter or one third at the most of the carriers of the  
13 hepatitis virus can be identified."

14 Can you explain what is meant by that last sentence,  
15 please?

16 A. It is meant that when the screening started, it started  
17 with the so-called immunodiffusion method.

18 Q. Immunodiffusion method?

19 A. Yes. First we had the so-called immunodiffusion without  
20 this electroimmunodiffusion system, which already  
21 improved the sensitivity, but I think that he realises  
22 here that the sensitivity of this new test is far from  
23 being ideal and taking a precautionary stand that there  
24 are probably many other cases that are not found with  
25 this first insensitive test. And I think what he had in

1 mind which was not included in this little booklet, was  
2 that necessarily more sensitive tests were needed in  
3 order to get the better yield of positives.

4 Q. Thank you. Then in the next paragraph, paragraph 4, you  
5 explain that:

6 "In the early 1970s, the FRC BTS started a study on  
7 carriers of hepatitis virus."

8 Can you explain a little the background to that  
9 study, please?

10 A. I beg your pardon?

11 Q. I'm sorry. You explain that in the early 1970s the  
12 Finnish Red Cross Blood Transfusion Service started  
13 a study on carriers of hepatitis virus. Can you,  
14 please, explain a little the background to that study?

15 A. The background was the fact that at that time -- I think  
16 that the original background for this was the  
17 publication of Blumberg, who found this Australia  
18 antigen in the Australian population and his conclusion  
19 was that it must be a genetically determined property.  
20 And my predecessor, Professor Nevanlinna, was very  
21 interested in genetics and population genetics, so he  
22 read very carefully these new publications about the new  
23 genes and new population genetics and became very  
24 interested in this whole issue of Australia antigen.  
25 Then pretty soon Prince then was able to show that, no,

1 it was not genetical but it was related to  
2 hepatitis-associated virus. Therefore this opened up  
3 a totally new possibility to screen the blood donors to  
4 prevent some of the hepatitis that was quite well-known,  
5 that this is one of the complications of transfusion,  
6 which was not possible to totally avoid before.  
7 Therefore, he immediately became interested from the  
8 perspective of Blood Transfusion Service to see is this  
9 new marker really prevalent in Finnish population and  
10 how the general population would compare with our  
11 voluntary donors. How I see the reasons why this  
12 particular organisation started such a study.

13 Q. Thank you. You explain that the study resulted in  
14 a doctoral thesis in 1974 by Dr Helske entitled  
15 "Carriers of Hepatitis B antigen and transfusion  
16 hepatitis in Finland." which was published in the  
17 Scandinavian Journal of Haematology in 1974. At that  
18 time, professor, who was Dr Helske employed by?

19 A. He was employed by the Blood Transfusion Service. He  
20 had, as his routine task, to supervise and develop the  
21 test for hepatitis virus. So he was combining this  
22 rather small, routine task with this research that  
23 Professor Nevanlinna considered much more important than  
24 just sitting in the routine.

25 Q. And you explain in paragraph 4 that all together 315,000

1 blood donations were tested with an overall prevalence  
2 of 0.15 to 0.16 per cent Hepatitis B surface antigen  
3 positive, and the prevalence in prisoners was  
4 0.721 per cent. So we can see about a five to six times  
5 higher prevalence in prison blood donors compared with  
6 non-prison donors. Is that correct?

7 A. That is correct.

8 Q. I think it may be helpful to now go to Dr Helske's work  
9 and that is [\[LIT0013562\]](#). We can see on the first page  
10 the title and author of the study. Could we then,  
11 please, go to page 3568? This is original page 7 of the  
12 document and the title of this chapter is "An  
13 epidemiological study of Hepatitis B antigen in Finnish  
14 blood donors."

15 If we go to the right-hand column, please, about  
16 half way down the paragraph:

17 "There are some groups in which the HBAG carrier  
18 rate is many times higher than in normal populations.  
19 In countries with both paid and unpaid blood donors, the  
20 carrier rate among paid donors is 2 to 5 times high as  
21 among unpaid donors."

22 A reference to papers:

23 "The reason has been suggested to be that paid  
24 donors more commonly live in poor social and hygienic  
25 conditions. Which may be related to the prevalence of

1 HBAg carriers ..."

2 Again, a reference to certain international papers:

3 "... or come from certain groups in which the HBAg

4 frequency is also high: drug addicts, 2 to 5 per cent

5 ..."

6 A reference to papers:

7 "... prisoners, 0.7 to 1.7 per cent."

8 A reference to papers:

9 "... and prostitutes and patients attending venereal

10 disease clinics, 1.4 to 3 per cent."

11 Again a reference to papers.

12 Really I think at this stage Dr Helske is looking at

13 papers from around the world to identify groups in which

14 there is reported to be a higher prevalence of

15 Hepatitis B antigen compared to other parts of the

16 population. Is that correct?

17 A. Yes.

18 Q. And if we could then, please, go to page 3571. There is

19 a table, table 2. I think Dr Helske has now moved on to

20 report the findings of his study among Finnish donors.

21 Is that correct?

22 A. That is correct.

23 Q. This is originally page 10 of the document and one can

24 see in the left-hand column the different donor

25 populations and one can then see that two types of tests

1 were used. The next three columns relate to the  
2 findings based on the immunodiffusion testing, and then  
3 the final three columns relate to findings based on the  
4 CIEP method. Is that correct?

5 A. That is correct.

6 Q. One can see the last line of table 2, prisoners, and  
7 various findings set out there. So presumably,  
8 professor, is it clear from this that Finland was  
9 collecting blood from prisons at the time of Dr Helske's  
10 study?

11 A. I am not sure. I cannot reply to that.

12 Q. Yes. We may come back to that.

13 A. It is possible but it is also possible that they took  
14 separate samples.

15 Q. I understand. Then the next page, please, page 3572,  
16 originally page 11, in the left-hand column, half way  
17 down we can see the passage:

18 "Prisoners had the highest rate of prevalence,  
19 1 per cent by ID and 0.7 per cent by CIEP. Compared to  
20 the rest of the donor population, the difference was  
21 statistically significant."

22 Then if we can, please, jump to page 53, which  
23 should be page 0.3614. In the summary at numbered  
24 paragraph 1, Dr Helske reports that:

25 "Screening tests of blood donors for HBAG were begun

1 in 1970 by the immunodiffusion method and continued  
2 since the spring of 1972 by the  
3 counterimmuno-electrophoresis method. The mean HBAG  
4 carrier prevalence was found to be 0.16 per cent.  
5 Slightly more carriers were encountered among young  
6 persons and the frequency was significantly above the  
7 mean in prisoners (0.9 per cent)."

8 I think, doctor, if one goes to the top of that  
9 page, it is stated:

10 "The study presented in this report was comprised of  
11 three parts:

12 "1. An epidemiological study of the occurrence of  
13 Hepatitis B antigen in blood donors in Finland.

14 "2. A study of the familial clustering of  
15 Hepatitis B infection in the families of HBAG carriers.

16 "3. A study of transfusion hepatitis and its  
17 relation to HBAG in Finland in light of the data  
18 available."

19 Does that suggest that prisoners fell within the  
20 first group, ie blood donors. Can one infer that?

21 A. That could be true.

22 Q. And if we could then, please, go to another page,  
23 page 3577, which is originally page 16 of the document,  
24 in the right-hand column, a few lines from the top, we  
25 see the subheading "Prevalence of HB antigen and



1 antibody and possible mechanisms of variation in  
2 different donor groups."

3 The author reports:

4 "The carrier rate of Hepatitis B antigen in this  
5 study among blood donors in Finland, ie 0.16 per cent,  
6 compares well with those in Scandinavian countries and  
7 the United States."

8 Then a few lines down, the author states:

9 "The high frequency of carriers among donors,  
10 (0.9 per cent) was consistent with the findings by other  
11 investigators. There is at present no satisfactory  
12 explanation for these differences between various  
13 population groups. Drug addiction has been suggested as  
14 one possibility since drug addicts are found most  
15 frequently among young adults. Illicit use of drugs no  
16 doubt accounts for a part of the acute cases of  
17 Hepatitis type B and consequently, for a few of chronic  
18 antigenemia with chronic hepatitis and together with  
19 tattooings, this might at least to some extent explain  
20 the high prevalence of carriers among prisoners."

21 THE CHAIRMAN: Before you leave that.

22 MR MACKENZIE: "The HBAG carrier state has been related to  
23 socio-economic and hygienic factors. A low  
24 socio-economic standard might favour the circulation and  
25 dissemination of Hepatitis B virus."

1           Can you explain, please, professor, how in 1974 it  
2           was thought that a low socio-economic standard might  
3           favour the circulation and dissemination of Hepatitis B  
4           virus?

5   A.   The way of infection, whether Hepatitis B was really  
6           blood-borne or whether it would be more or less  
7           water-borne, like Hepatitis A, was, if I recall it  
8           correct, still unclear.  Everybody would agree that at  
9           least blood could spread the disease, it needed blood  
10          contact, but people were uncertain about different other  
11          contacts, like physical contacts among family members,  
12          sweat and so on, whether that would be the cause of  
13          infection.

14                I think that because this was assumed earlier,  
15                before Hepatitis B really became known around 1969/1970,  
16                it was assumed that low socio-economic conditions, poor  
17                hygiene and so on, they were in general favouring  
18                different infectious diseases including hepatitis, and  
19                therefore I think that at that time many investigators  
20                took this assumption directly from older publications,  
21                without really going into the details of the spread  
22                itself.

23   Q.   Thank you.

24   THE CHAIRMAN:  Before you go on, Mr Mackenzie.  At page 33,  
25                line 3, the transcript correctly shows that the word

1 read out was "donors". The word was "prisoners".

2 I think that that might not be picked up in the ordinary  
3 correction routine, but it is the reference to the  
4 0.9 per cent.

5 A. That is for prisons.

6 THE CHAIRMAN: Yes.

7 MR MACKENZIE: I'm grateful, sir, thank you.

8 Two final pages of this report, please, professor,  
9 page 3595, which is original page 34. This chapter is  
10 headed "Studies on transfusion hepatitis in Finland".  
11 I think this now forms part of the background knowledge  
12 of hepatitis. In the introduction Dr Helske states  
13 that:

14 "Hepatitis developing 16 to 180 days after  
15 transfusion of blood or a blood product is termed  
16 'transfusion hepatitis'. The concept comprises mainly  
17 of viral hepatitis type B and hepatitis type A but  
18 included are also hepatitis produced by other viruses,  
19 cytomegalovirus, Epstein-Barr virus and possibly by  
20 other known or unknown viruses transmitted by means of  
21 transfusion."

22 If we can then go over the page, please, to  
23 page 3596, which is original page 35 of the document.  
24 In the left-hand column, about half way down Dr Helske  
25 stated that:

1           "According to the recommendation of WHO in 1973 the  
2 common forms of viral hepatitis are subdivided  
3 principally on epidemiological grounds, taking into  
4 consideration the presence of Hepatitis B antigen into  
5 viral hepatitis type A and viral hepatitis type B. Post  
6 transfusion mononucleosis due to cytomegalovirus may be  
7 encountered."

8           Et cetera. At the end of that paragraph:

9           "In some cases the Epstein-Barr virus may be the  
10 causative agent of post-transfusion mononucleosis."

11          Then:

12          "Quite often however, an aetiological diagnosis  
13 cannot be reached, although virus-like particles assumed  
14 to represent Hepatitis A virus have been demonstrated by  
15 immune electron microscopy (Feinstone et al, 1973) the  
16 method is still far from routine. Possibly there still  
17 are unrecognised viruses or other infectious agents that  
18 cause hepatitis."

19          We can put this paper to one side, thank you,  
20 professor. If we can then return to your statement,  
21 please. We can skip paragraph 5 because we have looked  
22 at the report already. Can we go to paragraph 6,  
23 please, professor. You state that:

24          "It is likely that it was decided in 1974 to stop  
25 the mobile collections in prisons as of beginning of

1 1975 based on the findings of Dr Helske. The main  
2 reason was to avoid transmission of Hepatitis B virus  
3 but it was considered that there might be other, unknown  
4 viruses as well."

5 To pause there, professor, you say that it is likely  
6 that it was decided in 1974 to stop the mobile  
7 collections in prisons. Does that suggest that Finland  
8 did collect blood from prisoners during this period?

9 A. I think that is correct. I don't know, but I could  
10 deduce it from this sentence, yes.

11 Q. And the second sentence in paragraph 6, where you state  
12 that:

13 "The main reason was to avoid transmission of  
14 Hepatitis B virus but it was considered that there might  
15 be other, unknown viruses as well."

16 What is the basis for that statement?

17 A. That is taken directly from the investigation of  
18 Dr Helske, where he listed the different possible  
19 viruses there that could possibly cause some jaundice  
20 and hepatitis. And there he lists also possible unknown  
21 viruses. This is my source of information.

22 Q. Yes. And as you explain, you have no first-hand  
23 knowledge of why Finland decided to stop collecting from  
24 prisons, assuming they had been collecting from prisons,  
25 but you did mention you had discussed matters with

1 Dr Helske, I think, as part of the preparation for this  
2 report. Did you discuss the question of collection in  
3 prisons and stopping collection in prisons with  
4 Dr Helske?

5 A. Yes, I discussed this matter with him and he said that  
6 he didn't remember when it was formally decided but he  
7 told me that when he showed his findings to  
8 Professor Nevanlinna, Professor Nevanlinna was, let's  
9 say, almost shocked, when he saw the difference between  
10 the prisoners and the donors in the general population.  
11 They had also thought that maybe also within army, where  
12 the conscripts would come voluntarily and in groups and  
13 so on, that was not a closed institution but not very  
14 far from that. They were a little bit afraid whether  
15 there would be a higher incidence of Hepatitis B also  
16 and they were quite relieved when they saw that it was  
17 0.2 per cent, which was the same as from mobile units  
18 from the Helsinki larger area.

19 Dr Helske told me that after he had shown these  
20 results to Professor Nevanlinna, these were discussed by  
21 the senior staff and everybody agreed that, because of  
22 this high prevalence, it was probably much safer to stop  
23 the donations at prisons, especially because only  
24 a small proportion of the blood supply was coming from  
25 prisons.

1           If I may add here, I have the feeling that these  
2 results were discussed at the meeting of the  
3 Council of Europe expert committee in May 1974. I was  
4 not personally involved so I have been thinking of these  
5 connections, because in the same group there was  
6 Dr Maycock from the UK. He was representing the UK in  
7 that group. There were Dr Moore, Dr Freiesleben from  
8 Copenhagen, Dr Hogman from Stockholm who wrote this ISBT  
9 recommendation, including also avoidance of prisons as  
10 a source of blood.

11           So I think that this has been discussed at that time  
12 within a larger European group, especially because  
13 Dr Helske refers here to the finding being of similar  
14 magnitude as in other Scandinavian countries. And I'm  
15 quite sure that he refers to Sweden and Denmark and  
16 therefore these people were aware of the higher  
17 incidence of Hepatitis B antigen within prison inmates.

18 Q. Thank you, professor. We may come back to one or two  
19 points there shortly. But if I may simply continue with  
20 your statement at this stage, please, in paragraph 7 you  
21 explain:

22           "The head of the administration for Finnish penal  
23 institutions contacted the doctor responsible for blood  
24 directions (Dr, later Professor Jukka Koistinen) and  
25 demanded explanations for this policy change.

1 Professor Koistinen told me that the head understood it  
2 after having seen the prevalence figures."

3 Is that a reference, professor, to your discussion  
4 with Professor Koistinen when preparing this statement?

5 A. No, no. He discussed with Professor Koistinen in 1975  
6 and then Professor Koistinen, if I recall it right, went  
7 to see this head of administration with all the data of  
8 Dr Helske and showed the difference between all other  
9 groups and then the prisoners, saying that these were  
10 really at risk of transmitting hepatitis. When I called  
11 Professor Koistinen, he said that he didn't remember  
12 whether that was over the telephone or whether he went  
13 there in person, but anyway, after these explanations he  
14 readily admitted that he was better to drop it out.

15 Q. Thank you. You explain that:

16 "However, afterwards, there were a few requests from  
17 the interior ministry to recommence blood donations."

18 You remember replying at least once during your  
19 tenure as director -- so that was some time  
20 after 1988 -- to the parliamentary commissioner when an  
21 inmate had complained about denial from blood donation  
22 and that your explanation was presumably satisfactory  
23 since you did not hear from the case since.

24 Professor, I would like to pause at this stage to  
25 look at two other documents from this period. The first



1 document is [\[SGH0046061\]](#). Professor, this is a letter  
2 dated 6 January 1975 from Garrett Allen at  
3 Stanford University in California, sent to Dr Maycock of  
4 the blood products laboratory in England. Professor,  
5 had you seen this letter before being instructed by this  
6 Inquiry?

7 A. No.

8 Q. Were you in fact aware of Professor Garrett Allen before  
9 being instructed by this Inquiry?

10 A. No.

11 Q. I think you were then, though, sent a copy of the letter  
12 and you have had a chance to read it?

13 A. Yes.

14 Q. And can I, please, go down to the paragraph commencing  
15 about half way:

16 "The other imponderable ..."

17 What I will do, professor is read the paragraph and  
18 then ask you for any comment or view you have on this.

19 So Professor Garrett Allen in 1975 says this:

20 "The other imponderable which has troubled most of  
21 us is the infectiveness in screening for the HB antigen.  
22 This failure, of course, dates back to at least 1971 and  
23 suggests that half, if not more, of the cases of  
24 post-transfusion hepatitis are caused by an agent other  
25 than Hepatitis A or B. Whatever this agent or agents

1        may be, it still seems to be more frequently encountered  
2        in the lower socio-economic groups of paid and prison  
3        donors. It is [the word might be] "normal" among  
4        volunteer donors. It seems that the most certain method  
5        we have for reducing the number of carrier donors at the  
6        present time is still to determine whether or not the  
7        donor has been paid in money or in reduction of his  
8        prison sentence."

9            Do you have any comments on that paragraph?

10    A. I think that it's very much in line with what we did in  
11        Finland. However, we were thinking at least at that  
12        time that these problems of prison conditions and drug  
13        addiction were quite different in America as compared to  
14        northern Europe and therefore we were not quite as  
15        anxious of these numbers as they were over there.  
16        However, I think that this statement here is very much  
17        in line with what was thought in our country.

18    THE CHAIRMAN: Can I interrupt just one moment. I'm a bit  
19        anxious that in the fifth last line the suggestion that  
20        it is "normal" among volunteer donors is something that  
21        ought to be checked, since the contrast would make more  
22        sense if it read "it is not normal". I don't know  
23        whether there is a hard copy available but I think that  
24        it could be potentially misleading if it reads "normal"  
25        rather than "not normal".

1 MR MACKENZIE: In short, sir, we have tried to obtain  
2 a complete copy but so far have been unsuccessful.  
3 I think what I'll do is read that sentence, or two  
4 sentences, again and put the word "blank" in where it is  
5 not entirely clear what the missing word is, simply for  
6 the record.

7 THE CHAIRMAN: I think the record is now probably clear  
8 enough that there is a problem, Mr Mackenzie, but I'm  
9 not personally inclined to read it as "normal" without  
10 verification of that.

11 MR MACKENZIE: Yes. I'm grateful, sir.

12 One point you mention, professor, was that the  
13 problem in the USA was considered to be quite different  
14 than that in northern Europe. Can you explain a little  
15 what you mean by that?

16 A. Well, there were a number of stories about the prison  
17 conditions in different parts of America. They were  
18 overcrowded and obviously there was -- at least we had  
19 the impression that drug use, illegal drug use, the use  
20 of intravenous drugs was much more common in those  
21 circumstances as compared to our countries, where most  
22 of the prisoners were simple thieves or that kind of  
23 people, who were not sentenced because of the same  
24 reasons as in a more violent atmosphere of America.

25 This is why we thought that, okay, the problem is

1       there, it might be also with us but certainly it would  
2       be much lower.

3    Q.   Thank you.  I propose then coming to another document  
4       from 1975, please, professor, and this is document  
5       [\[SGH0030187\]](#).

6           Professor, this is the letter dated 1 May 1975 by  
7       the chief medical officer for England and Wales,  
8       Dr Yellowlees, to all regional medical officers in  
9       England and Wales.  The letter is headed "Blood donation  
10      and hepatitis".  Professor, had you seen this letter  
11      before being instructed by the Inquiry?

12   A.   Not before, no.

13   Q.   I take it then, you weren't aware of its existence  
14      before being instructed by the Inquiry?

15   A.   I received this letter with other documents from the  
16      Inquiry.

17   Q.   I'm grateful.

18   A.   But not seen that before.

19   Q.   Thank you.  But you have had a chance now to read it?

20   A.   Yes.

21   Q.   Under the heading "Blood donation and hepatitis,"  
22      Dr Yellowlees explain that:

23           "The Department of Health and Social Security has  
24      recently received advice from a group of experts on the  
25      use of blood donations from certain categories of

1 donors."

2 If we look at the footnote at the bottom of the  
3 page, the group of experts comprised a subgroup of the  
4 advisory group on testing for Australia antigen. We  
5 know that that advisory group was led by Dr Maycock.  
6 The first page deals with geographical factors, the  
7 question of donors from certain areas. But then over  
8 the page, please, to page 2 under "Prisons",  
9 Dr Yellowlees states:

10 "There is a relatively high risk of Hepatitis B  
11 being transmitted by the blood of prisoners. But there  
12 is probably an equally high risk in other groups of the  
13 population, eg drug addicts, who are not so easily  
14 identified in advance as prisoners, if they can be  
15 identified at all. The advice we have received is that  
16 it is not necessary to discontinue the collection of  
17 blood at prisons and similar institutions provided all  
18 donations are subjected to one of the more sensitive  
19 tests referred to above."

20 Professor, part of the background to this letter,  
21 I should explain, is that in England and Wales, and in  
22 fact similarly in the West of Scotland, it had been  
23 found that prison donors had about a five times higher  
24 incidence of Hepatitis B antigen compared to non-prison  
25 male donors. Another part of the background, professor,

1 is that in the early 1970s in the UK Hepatitis B  
2 screening, like Finland, was commenced, using IEOP but  
3 then about 1975 the more sensitive RPHA and/or RIA were  
4 introduced. So that's all part of the background.

5 Reading, professor, the paragraph I have just read  
6 out, do you have any comment or views on that, based on  
7 what would have been known at the time? So the  
8 paragraph commencing:

9 "There was a relatively high risk of Hepatitis B  
10 ..."

11 A. To me, when I read this particular paragraph here on  
12 prisons, I agreed with the first sentence. I also  
13 agreed with the second sentence that there are various  
14 groups with high risks that are extremely difficult to  
15 identify. But somehow I don't see that this fact that  
16 we can't identify some risk groups would lead to the  
17 decision that one group that we can identify should not  
18 be excluded from the donor pool. This means that if  
19 there are a number of things that we can't do, that  
20 doesn't mean that if there is something that we can do,  
21 it should not be done. If that group can be clearly  
22 identified, as is stated here, the prisoners were a  
23 group with risk.

24 Q. That might be an appropriate time, sir, to take a short  
25 break.

1 THE CHAIRMAN: Yes, we will have a break.

2 (11.04 am)

3 (Short break)

4 (11.37 am)

5 MR MACKENZIE: Sir, before returning to Professor Leikola,  
6 reverting to Professor Garrett Allen's letter of  
7 6 January 1975. We don't need to bring it up but the  
8 reference is [\[SGH0046061\]](#). I'm grateful to Mr Di Rollo  
9 who advises that the word I speculated as "normal" is in  
10 fact "minimal" and I'll endeavour to provide the better  
11 source for that, sir.

12 THE CHAIRMAN: I'm not sure that we can improve on the  
13 source. If Mr Di Rollo tells us it is right, I'm  
14 perfectly content.

15 MR MACKENZIE: I'm grateful.

16 THE CHAIRMAN: I take it, Mr Di Rollo, that you do have  
17 a source and it is not just speculation.

18 MR DI ROLLO: I do have a source.

19 MR MACKENZIE: Professor, before the break we were looking  
20 at Dr Yellowlees's letter of 1 May 1975 and the question  
21 of the continued collection of blood in prisons and you  
22 offered certain comments on that. Professor, I think in  
23 short the justification for continuing with the practice  
24 at the time was that in England and Wales more sensitive  
25 tests to detect Hepatitis B, namely RPHA and/or RIA,

1        were either in use or were about to be introduced. Does  
2        that alter the views you expressed before the break at  
3        all?

4    A.    If you would repeat your question.

5    Q.    Yes, professor. Before the break you offered certain  
6        views on this letter and in short I think you said that,  
7        because it is not possible to identify all higher risk  
8        groups, it doesn't mean to say that you should avoid  
9        a known higher risk group which you can identify.

10   A.    True.

11   Q.    I think what Dr Yellowlees would say, if he were here,  
12        is that the important factor is that more sensitive  
13        screening tests for Hepatitis B were either in use or  
14        were about to be introduced. Does that factor alter the  
15        opinion you expressed before the break?

16   A.    I think that the reasoning is more understandable but  
17        that doesn't change my opinion about the conclusion.

18   Q.    So is your opinion that even with the introduction of  
19        more sensitive Hepatitis B screening tests around 1975,  
20        one should still have avoided a donor group which was  
21        known to carry a higher risk of Hepatitis B?

22   A.    Yes, that is my opinion.

23   Q.    Is this an area in which different experts could  
24        reasonably hold different views or do you consider that  
25        it simply would not be a reasonable view that collection



1 in prisons should continue?

2 A. I think that the meaning of introduction of a more  
3 sensitive test was interpreted differently by different  
4 experts and in some countries, notably in France, the  
5 donations in prisons continued and therefore I think  
6 that experts could interpret this differently. However,  
7 in the light, what was known at that time about  
8 Hepatitis B and possibly other viruses, I think this  
9 advice of, "Yes, go ahead with prison donations", was  
10 probably not correct.

11 THE CHAIRMAN: I wonder if I can be quite clear. It seems  
12 to me possibly there are two quite different issues that  
13 are in danger of running into one another. One is the  
14 reasoning in Dr Yellowlees's letter.

15 A. Yes.

16 THE CHAIRMAN: Which seems to run along the lines that in  
17 life we are constantly exposed to unknown risks,  
18 therefore there is little point in taking precautions  
19 against known risks.

20 A. Yes.

21 THE CHAIRMAN: There is a logical problem there.

22 A. Yes.

23 THE CHAIRMAN: The other issue is whether there was scope  
24 for differing professional opinion at the time as to the  
25 level and significance of risk associated with the

1 practice. Is it right to distinguish these two things?

2 A. These two things can be distinguished, yes.

3 THE CHAIRMAN: So far as the first is concerned, the purely  
4 logical point, have I rightly characterised your view?

5 A. Yes.

6 THE CHAIRMAN: So far as the second is concerned, I think  
7 what you have said is that in different countries,  
8 different views did prevail but you have got  
9 a particular view of your own as to the appropriateness  
10 of continuing to take from prisons? Or is that not the  
11 position?

12 A. I would refer to the practice in Finland, where we  
13 decided to stop that because the significance of prison  
14 donations as to the blood supply was not significant and  
15 therefore we decided to, so to say, play safe and  
16 therefore from our perspective this particular  
17 recommendation, "Yes, go ahead with prison donations",  
18 was not reasonable.

19 THE CHAIRMAN: Thank you.

20 MR MACKENZIE: Thank you, sir.

21 Professor, if I could leave that letter to one side  
22 now, please, and return to your statement to,  
23 paragraph 8, you state that:

24 "Information on international practice in 1970s and  
25 1980s is difficult to obtain. To the best of my

1 knowledge, nothing was written on this aspect of donor  
2 selection in any international guidelines before 1983."

3 What do you mean, professor, by "this aspect of  
4 donor selection"?

5 A. This -- particularly donation in prisons.

6 Q. You go on:

7 "It may have been discussed unofficially outside the  
8 meetings but I do not remember having participated in  
9 any talks of that kind, save the French case."

10 To pause here, professor, I think, after writing  
11 your statement, you were subsequently sent by the  
12 Inquiry a 1976 document. Could we look at that, please?  
13 It is [\[DHF0012672\]](#).

14 This is the International Society of Blood  
15 Transfusion, "A guide to the criteria for the selection  
16 of blood donors", dated 1976. What is the significance,  
17 professor, of the word "Paris" on the front sheet of  
18 this document?

19 A. Headquarters of ISBT was situated in Paris at that time  
20 and I believe that refers to the printing place.

21 Q. Thank you. Professor, I think you did not have this  
22 document in mind when you prepared your statement for  
23 the Inquiry. Do you have any recollection of whether  
24 you saw this document at the time it was prepared or at  
25 any time in the late 1970s or early 1980s?

1 A. No, I have no recollection. I was not responsible for  
2 selection of donors and therefore even if I would have  
3 seen that in passing, I wouldn't have read it, probably.

4 Q. Thank you. If we can go on two pages, please, to 2674,  
5 we can see the publications committee B P L Moore from  
6 Canada, E Freiesleben from Denmark and C F Hogman from  
7 Sweden. I apologise for the pronunciation, professor.  
8 We also see that the original draft was submitted by  
9 B P L Moore in Canada. Do you have any knowledge of  
10 these individuals, professor?

11 A. Yes, I used to know all these people.

12 Q. How were they regarded in the transfusion community?

13 A. Especially, I would say, Dr Moore was very highly  
14 regarded because of his knowledge and common sense  
15 regarding approach to different problems. With  
16 Dr Freiesleben and Hogman, we did quite a bit of  
17 collaboration within the Scandinavian circles. So  
18 I knew personally these three people quite well.

19 Q. Thank you. If we go on four pages, please. Professor,  
20 I'm trying to work out the purpose for which this  
21 document was produced, who it was aimed at. We see on  
22 this page, original page 5, the heading "The  
23 non-remunerated blood donors: the essential element  
24 around which every blood transfusion service is shaped":  
25 "None should join this select group whose blood may

1 transmit disease to his fellows or whose health may  
2 suffer as a result of his generosity. The necessary  
3 guidelines to ensure this state of affairs depend partly  
4 upon what infections are endemic and upon the average  
5 build and education of the population in the geographic  
6 area covered by the transfusion service and partly upon  
7 the resources available to interview and examine  
8 prospective donors."

9 So that's the introduction such as it is. Do you  
10 know, professor, or can you speculate in an informed way  
11 why this document was produced and who it was aimed at?

12 A. No, I don't.

13 Q. I see. Simply to complete this document, professor, if  
14 we can go, please, to page 2683, at paragraph 9 "Viral  
15 hepatitis", we have looked at at the Inquiry previously,  
16 professor, and there is a discussions of viral hepatitis  
17 and then the document continues:

18 "Prospective donors should be excluded if it is  
19 known that they ..."

20 Then over the page, page 2684:

21 "7. Are inmates of a correctional institution."

22 I think this is the only express international  
23 guidance the Inquiry is aware of, professor, on the  
24 question of collecting blood from prison donors. I take  
25 it you are not aware of any other international guidance

1 on this particular topic?

2 A. No.

3 Q. Separately, professor, there is the question of any  
4 guidance on collecting from donors with a higher  
5 prevalence of disease. Are you aware of any such  
6 guidance in the late 1970s or early 1980s,  
7 internationally?

8 A. I may have read them but, as I said, I was not directly  
9 involved in the selection of donors and then therefore  
10 I don't quite remember which ones I would have seen and  
11 which not.

12 Q. I'll take you to two documents shortly, professor, but  
13 what is the logic or reasoning for not collecting blood  
14 from donors with a higher prevalence of disease?

15 A. If these populations can be identified, it is, of  
16 course, one way of reducing the risk of transmission of  
17 the disease and there you have to consider the size of  
18 that particular group; how important that is as regards  
19 the total supply of blood and how high is the prevalence  
20 so that if this particular group is avoided, what would  
21 be the significance of that to the possibility to supply  
22 blood every time that is needed but the hospitals. So  
23 I can't say categorically that all groups that may have  
24 higher risk of infectious disease should immediately be  
25 precluded from giving blood but it is a consideration

1 between supply and the safety aspect of transfusion.

2 Q. Thank you. Sticking with the international guidance,  
3 could we look firstly, please, at [\[LIT0013272\]](#).

4 Professor, this is a report of a WHO meeting. The  
5 report is dated 1975 but if we go to page 3276, we can  
6 see in the top left-hand corner the actual meeting on  
7 viral hepatitis took place in Geneva in October 1974.  
8 If we can then, please, go to page 3298, the second last  
9 page of the document, original page 49, and if we look  
10 please at recommendation 7, the document states:

11 "There can be no categorical designation of high  
12 risk blood donor groups; the situation is likely to vary  
13 from country to country from time to time and within  
14 countries. Any subpopulation with specific  
15 characteristics shown to have a continuing carrier rate  
16 of HBsAg at least three times that of the total  
17 potential blood donor population may be considered for  
18 exclusion. However, such decisions should be made on  
19 a local basis with due regard to the needs and  
20 availability of blood."

21 So is that really consistent what you have just  
22 explained to us?

23 A. Yes, sir.

24 Q. If one were to apply that recommendation to Finland and  
25 the question of the collection of blood from prisoners,

1 then one would at least consider what is set out in  
2 recommendation 7, given that the prevalence of  
3 Hepatitis B among Finnish prison donors was  
4 a subpopulation shown to have a continuing carrier rate  
5 of HBsAg at least three times that of the total  
6 potential blood donor population.

7 A. Yes.

8 Q. Thank you. Finally on this point, please, professor, if  
9 I may then look at document [\[LIT0013627\]](#). Professor,  
10 again, I take it you have no recollection of having seen  
11 this document at or around the time of its publication  
12 in 1978?

13 A. No, I have seen it only now.

14 Q. Yes, I see. For completeness, please, if we can then go  
15 to page 3632, and half way down, professor, the  
16 paragraph commencing "another important matter ..." we  
17 can see that:

18 "Another important matter was the requirements for  
19 the collection, processing and quality of human blood  
20 and blood products (see annex 1), and it was agreed that  
21 it would be most useful to have a single set of  
22 requirements applicable to all organisations and  
23 laboratories involved in the collection or fractionation  
24 of blood and blood products."

25 So that's the background to the guidelines. If one



1 then, please, goes to page 3651 -- we are now looking at  
2 the guidelines agreed at this WHO meeting -- under  
3 paragraph A5.3.2, "Infectious diseases", there is  
4 discussion of viral hepatitis. Over the page, please,  
5 professor, at page 3652, the very top paragraph.  
6 Professor, the guidelines distinguish between  
7 recommendations and a lesser category of guidance and  
8 what is said at the top of the page in indentation falls  
9 under guidance. What is stated is that:

10 "Donor populations showing a prevalence of acute or  
11 chronic hepatitis higher than that found in the general  
12 population should be avoided for collection. Both of  
13 single donor products, whole blood and its components  
14 and of plasma for pooling for the manufacture of plasma  
15 fractions known to be capable of transmitting hepatitis,  
16 such as clotting factor concentrates."

17 Applying that guidance to the situation in Finland,  
18 presumably Finnish prison blood donors would fall within  
19 the category: donor populations showing a prevalence of  
20 acute or chronic hepatitis higher than that found in the  
21 general population. Is that correct?

22 A. I think this is difficult to interpret because the  
23 prevalence of acute or chronic hepatitis is not  
24 necessarily synonymous with being a carrier of the  
25 virus. Therefore, I don't think that many people could

1 really define the populations showing high prevalence or  
2 just higher prevalence than general population of acute  
3 and chronic hepatitis.

4 Therefore, in my mind it should be related to those  
5 markers that can be shown and measured and not just  
6 saying that this population has higher prevalence of  
7 acute and chronic hepatitis. Beside, in this rather  
8 categorical recommendation it is not defined how much  
9 higher it should be.

10 For instance, if we go to the Finnish statistics,  
11 where Dr Helske was showing that in certain areas around  
12 Helsinki area, the prevalence was 0.2 whereas in the  
13 countryside it was only 0.1, if we would follow this  
14 categorically, all donors in the Helsinki area should be  
15 precluded, and therefore I don't think that this  
16 particular recommendation has been written by anybody  
17 who is, in practice, involved in blood collection or  
18 transfusion for that matter. The committee of  
19 biological standards was really comprising biologists  
20 and virologists and people involved in plasma  
21 fractionation. So I wouldn't pay very much attention to  
22 this particular recommendation.

23 Q. I understand, thank you.

24 Returning, please, professor, to your statement. In  
25 paragraph 9 you explain that Professor van Aken informed

1       you that blood collections by the Netherlands Red Cross  
2       Blood Transfusion Service never took place in prisons.

3       Who is Professor van Aken?

4    A.   Professor van Aken was the medical director of the CLB,  
5       which is the central laboratory of the Netherlands  
6       Red Cross Blood Transfusion Service in Amsterdam. He  
7       was and he is a very prominent figure in the  
8       international circles of blood transfusion committees  
9       and different groups. He was the president of the  
10      International Society of Blood Transfusion and we have  
11      been working together with Professor van Aken for  
12      a number of years, and this is why I approached him in  
13      order to find out what was the situation in the  
14      Netherlands because before this Inquiry I had no idea  
15      whatsoever if they were collecting blood in prisons or  
16      not.

17   Q.   And did Professor van Aken explain why the Netherlands  
18      Red Cross BTS didn't collect blood in prisons?

19   A.   No explanations.

20   Q.   Did you make any other investigations in respect of the  
21      practice in any other countries?

22   A.   Well, I wrote to the Swiss Red Cross. I didn't get the  
23      answer before I filed my statement but their  
24      Professor Frey-Wettstein replied to me that in  
25      Switzerland, the Swiss Red Cross had never taken blood

1 from prisons. If they would have collected blood before  
2 his time -- I think he came to the service in the early  
3 1970s -- at least during his tenure, no blood was  
4 collect from prisons in Switzerland.

5 Q. If we look, please, at document [\[WIT0030031\]](#), and is  
6 this, professor, a copy of an email from  
7 Dr Frey-Wettstein sent to you on 31 January this year.  
8 Is that correct?

9 A. That's correct.

10 Q. Which you then forwarded to the Inquiry and we can see  
11 Dr Frey-Wettstein states that:

12 "Dr Professor Leikola, your Inquiry about blood  
13 collection from prisons in the 1970s has been forwarded  
14 to me by the SRC ..."

15 Is that the Swiss Red Cross?

16 A. Yes.

17 Q. "... Blood Transfusion Service. I joined the Blood  
18 Transfusion Service in Zurich in 1969. My first task  
19 was to build up a mobile blood collections team in the  
20 greater Zurich area. We never collected blood from  
21 prisoners but in the early 1970s, we regularly visited  
22 a reform school for delinquent youngsters. This  
23 practice was soon dropped due to the new knowledge of  
24 the spread of TTD amongst this group of people."

25 TTD, is that a reference to transfusion-transmitted

1 diseases?

2 A. Yes.

3 Q. Although we are not told in this email when the practice  
4 was dropped.

5 A. No.

6 Q. So we don't know that. Then it goes on:  
7 "I'm not sure if the ZLB ..."  
8 Is that Zurich something blood?

9 A. ZLB was the Zentrallaboratorium. They had a similar  
10 system as in the Netherlands, where in Bern there was  
11 a large fractionation laboratory that had its own blood  
12 collection, mobile blood collection, in different parts  
13 of Switzerland; whereas the local centres, for instance  
14 in Zurich, they were confined to various cantons in  
15 Switzerland because it is a very federal state, and  
16 I had no contacts with the central laboratory. So this  
17 is all the information I have about them but I don't  
18 think that they went to prisons at that time but this is  
19 pure assumption.

20 Q. Yes. Did the ZLB collect blood both for transfusion of  
21 blood and its components and also for fractionation?

22 A. No, only for fractionation.

23 Q. Only for fractionation? I'm grateful.  
24 Dr Frey-Wettstein states:  
25 "I'm not sure if the ZLB collected blood in

1 penitentiaries. I only remember discussions about the  
2 risk of transfusing blood from prisoners at this time.  
3 If blood collection in penitentiaries had been practised  
4 at all, it certainly has been abandoned in the early  
5 1970s."

6 So that is the recollection of Dr Frey-Wettstein.  
7 Can I take it, professor, that you have no first-hand  
8 knowledge of anything in this email; you rely entirely  
9 on what Dr Frey-Wettstein has told you?

10 A. Nothing more than this particular statement.

11 Q. I understand. If we could then, please, professor,  
12 return to your statement, paragraph 10, when you move on  
13 to France. In paragraph 10 you explain that in France:

14 "The tainted blood affair was much publicised in the  
15 media at the beginning of the 1990s."

16 You looked, I think, at the doctoral thesis by  
17 Caroline Bay, published in 1995, which contained  
18 a detailed description of what happened in France.  
19 I don't think we have to go into that in detail but is  
20 it correct, professor, that in France the transfusion  
21 service or services continued to collect blood in  
22 prisons at least the first half of the 1980s, up until  
23 perhaps 1985 and perhaps even beyond that?

24 A. Even beyond that. And what I was told and I have read  
25 was that there was tremendous pressure from the Ministry

1 of the Interior to continue that because it was included  
2 in their scheme for rehabilitation of the inmates when  
3 they were freed and entering society.

4 This is what I have been told and I just happen to  
5 have on my bookshelf this book, "Histoire de la  
6 Transfusion" because I have been interested in the  
7 history of blood transfusion. Sometime, maybe in  
8 1999/2000, when I visited France I was given this thesis  
9 work saying that this describes most of the early  
10 history of transfusion, but then I found out that when  
11 it was published in 1995 these court proceedings were  
12 very actual and very much covered by the press and then  
13 therefore there is a very detailed description about the  
14 time schedule, what happened when and what kind of  
15 correspondence there was and so on.

16 Q. Yes. I think you explain, in paragraph 10, that in  
17 France prison collections accounted for  
18 a disproportionate number of HIV infections in  
19 transfusion recipients. That was what was found in  
20 France?

21 A. Yes, I think the whole proceeding was, of course, around  
22 HIV and not hepatitis.

23 Q. I understand. Then in paragraph 11 you explain that  
24 you:

25 "... remember having met Dr Michel Garretta, the

1 then director of the National Blood Transfusion Centre  
2 in Paris, several times in the late 1980s. The AIDS  
3 issue was much discussed."

4 You wondered why the French continued blood  
5 collections in prison when in Finland that had been  
6 stopped more than ten years earlier. And Dr Garretta  
7 said that he had turned to the Ministry of Health,  
8 urging the ministry to give guidelines precluding blood  
9 donations in prisons and according to Dr Garretta, the  
10 Minister of Interior had resisted such a change of  
11 policy and had let the Ministry of Health know his  
12 opinions. So again, that is perhaps second-hand  
13 knowledge which you received from Dr Garretta of the  
14 situation in France?

15 A. Yes.

16 Q. I'm grateful. In paragraph 12 you go on that:

17 "Until the Australia antigen or HBsAg was found to  
18 be associated with 'serumhepatitis', the main avoidable  
19 risks due to transfusion were thought to derive from  
20 blood group incompatibility, bacterial contamination of  
21 the blood vessel or transmission of syphilis.  
22 Transfusion hepatitis was seen as unavoidable albeit  
23 rare complication, mostly prevented by not using pooled,  
24 unheated blood and plasma products. After laboratory  
25 methods were developed to detect HBsAg it became soon



1 clear that part of the cases of transfusion hepatitis  
2 could be prevented by systematic screening of donors.  
3 After numerous epidemiological studies it was also clear  
4 that not all transfusion hepatitis transmissions could  
5 be avoided, either due to lack of sensitivity of HBsAg  
6 tests or existence of another virus or viruses.  
7 Examples of those studies have been discussed by  
8 Dr Helske (at pages 8 and 9)".

9 To pause there, professor, are you able to explain  
10 to us the developing knowledge of non-A non-B hepatitis  
11 in the 1970s or is that a question better asked of  
12 another witness, for example a hepatologist?

13 A. Well, as I explained here, before the Hepatitis B  
14 antigen was found, the main worries about transfusion  
15 safety were blood group compatibility and so on, and  
16 that was one of the reasons why I, who had been working  
17 with blood groups, was appointed as the head of the  
18 laboratory. So I had nothing to do with virology or  
19 transfusion safety matters.

20 I don't think we were, during the late 1970s, very  
21 much discussing the possibility of non-A non-B  
22 hepatitis. There was this category of other viruses,  
23 EBV, Epstein-Barr virus, cytomegalovirus and so on, but  
24 I don't think that before the publications, the two  
25 publications, Hogman TTD study from the United States

1       came where they clearly were speaking about non-A non-B  
2       hepatitis. I believe that was in 1981 but I'm not sure  
3       of the date -- we did not very much consider a category  
4       called non-A non-B hepatitis.

5   Q. During the 1970s, professor, I think there were a number  
6       of publications, in particular I think from  
7       North America, reporting on studies of post-transfusion  
8       hepatitis in patients. Perhaps I should just take you  
9       to some of these studies briefly, professor. If we  
10      could perhaps start with [\[LIT0010363\]](#), this is the 1974  
11      Prince report. Is this paper familiar to you,  
12      professor?

13   A. I vaguely remember seeing that when I entered the  
14      transfusion service in 1975, but no clear picture.

15   Q. Yes. So this report by Dr Prince and colleagues  
16      published in the Lancet is the type of article that  
17      would have come to your attention when joining the  
18      transfusion service?

19   A. Probably if -- that would have appeared a year later.  
20      I don't know how much -- in the spring 1975, when I had  
21      so many other things to do with the congress that we  
22      were planning, I don't think that I would have read this  
23      very carefully through and I would have started thinking  
24      of its consequences as to our routine.

25   Q. Yes. Am I right in stating, professor, that this

1 article is an important article in looking at the  
2 developing knowledge of non-A non-B hepatitis?

3 A. Definitely, yes.

4 Q. Because we can see from the final sentence of the  
5 summary on page 1, that the authors concluded that:

6 "The data suggest that a large proportion of long  
7 incubation post-transfusion hepatitis is unrelated to  
8 Hepatitis B and control of post-transfusion hepatitis  
9 will require identification of a hepatitis virus or  
10 viruses, type C."

11 We can put that to one side, please. Could I then,  
12 professor, refer to [\[PEN0020836\]](#). If we can scroll down  
13 the page, please, we can see in the bottom left-hand  
14 column this is a publication by Harvey Alter and  
15 colleagues from the National Institutes of Health in the  
16 Lancet in November 1975, entitled "Clinical and  
17 serological analysis of transfusion-associated  
18 hepatitis". Do you remember having seen this report  
19 before, professor?

20 A. I have probably seen this, yes.

21 Q. Is it really another example of another paper in the  
22 developing knowledge of non-A non-B hepatitis -- and  
23 again we can see this paper is from North America?

24 A. I think that all the papers by either Fred Prince or  
25 Harvey Alter were important when they appeared in

1 prestigious journals.

2 Q. I'm grateful. If we go to the right-hand column at the  
3 top, the final sentence of the summary, the authors  
4 report that:

5 "The existence of previously unrecognisable human  
6 hepatitis virus or viruses is probable."

7 I think we should then go to page 0839, where the  
8 authors expand upon that sentence a little. In the  
9 left-hand column, please, the bottom paragraph:

10 "Serological analysis of the eight cases of non-B  
11 hepatitis revealed no aetiological relationship to the  
12 cytomegalovirus or the Epstein-Barr virus. We had  
13 previously assumed that the major portion of  
14 transfusion-associated hepatitis unrelated to the  
15 Hepatitis B virus was due to the Hepatitis A virus but  
16 this was not substantiated in the present study.  
17 Application of both the IEM and immuno-adherence  
18 techniques demonstrated that none of these eight cases  
19 was serologically related to the Hepatitis A virus."

20 Four or five lines down:

21 "It thus appears that the Hepatitis A virus is  
22 rarely involved in the development of  
23 transfusion-associated hepatitis."

24 In the right-hand column towards the top, about six  
25 lines down:

1 "The strongest evidence that non-A non-B hepatitis  
2 is a transfusion related (and by reference virus  
3 related) event is the fact that it appears to occur with  
4 a defined incubation period and more important that,  
5 like type B hepatitis, it is considerably more common  
6 after the receipt of commercial blood than of voluntary  
7 donor blood. There is thus increasing suspicion that  
8 there exists one or more previously unrecognised human  
9 hepatitis viruses."

10 We can put that to one side. What I would like to  
11 do, professor, is refer you to two final American papers  
12 before then, asking you what was the view in Finland,  
13 for example, of these reports from America on this  
14 subject.

15 The second last paper, please, is [\[LIT0013657\]](#).  
16 This is a report, professor, by Hoofnagle and others,  
17 "The transmission of non-A non-B hepatitis". It is  
18 published in July 1977 in the Annals of Internal  
19 Medicine. Do you recollect, professor, having seen this  
20 paper before?

21 A. I have definitely seen it.

22 Q. Yes. Did you see this at the time or as part of your  
23 assistance to the Inquiry?

24 A. I saw that at the time.

25 Q. I see. If one then goes, please, to page 3662. In the

1 left-hand column, about a third of the way from the  
2 bottom, the authors state:

3 "More and more evidence, however, indicates that  
4 non-A non-B hepatitis is due to a transmissible agent  
5 that is most likely a virus. Non-A non-B hepatitis is  
6 regularly associated with transfusion, accounting for at  
7 least half of post-transfusion hepatitis cases."

8 Then in the right-hand column, please, in the second  
9 paragraph:

10 "Several clinical and epidemiologic features of  
11 non-A non-B hepatitis have become clear from studies  
12 such as the present one."

13 I think four observations are then made, which  
14 I won't read, professor, because we have looked at them  
15 previously. Just to pause, professor, what do you  
16 consider was the status of the authors of this article  
17 and the authority of their research and conclusions?

18 A. That was a very convincing article. They were  
19 well-known investigators and I would say that most  
20 people working in this field would have seen this paper  
21 and also read it carefully through.

22 Q. Thank you. Finally, please, professor, document  
23 [\[LIT0010189\]](#). This, professor, is an article by Berman,  
24 Harvey Alter and others, again from the National  
25 Institutes of Health in the USA. It was published

1 in July 1979 in the Annals of Internal Medicine and  
2 entitled "The chronic sequelae of non-A non-B  
3 hepatitis."

4 Do you recall having seen this article at the time,  
5 professor?

6 A. I have probably seen it. I don't remember it as clearly  
7 as the paper of Hoofnagle and others but I have probably  
8 seen this.

9 Q. Thank you. For completeness, please, page 0192, in the  
10 left-hand column at the bottom:

11 "Several interesting features of non-A non-B  
12 hepatitis and its relation to chronic liver disease  
13 derive from this study."

14 Then five observations are set out, which again  
15 I don't propose going through now, professor, because  
16 the Inquiry has looked at this before.

17 Thank you, professor, you can put that to one side  
18 as well. The question I would like to ask, if I may,  
19 professor, is: can you remember how these articles were  
20 received in Finland or in wider transfusion circles in  
21 the mid to late 1970s?

22 A. Well, I would say that after summer 1978, when I came  
23 back from my sabbatical year in America, when I was not  
24 involved in these cases at all -- but after that I think  
25 that it became clear in our minds that there is

1 definitely a virus, or maybe maximum two viruses,  
2 causing hepatitis that is like Hepatitis B and unlike  
3 Hepatitis A.

4       However, in those circumstances we also were feeling  
5 that, yes, it may cause a disease. It is mentioned in  
6 this article that in most cases the disease is mild and  
7 non-symptomatic and there were very little means to  
8 avoid that because we did not know any particular risk  
9 groups, even though it's clearly referred in this  
10 article that in most cases it is related to some  
11 parenteral contact with blood, either by tattooing,  
12 needle sharing or transfusion. But, yes, I would say  
13 that at that time, 1978, 1979, 1980, it became clear to  
14 us that such a disease exists.

15 Q. Thank you. Then returning to your statement, please.

16 We are now at page 3, paragraph 13. You explain that:

17       "The blood donation sessions in prisons were  
18 advantageous in some respects."

19       You explain:

20       "For the donor organisers they were convenient since  
21 assistance was always found for various arrangements.  
22 The inmates came punctually when appointment times were  
23 given, and the wardens, like police force or military  
24 personnel, were known to be diligent blood donors.  
25 During holiday seasons these sessions could produce



1 a supplement to the blood supply. The moral side for  
2 the sentenced was that blood donation was one way of  
3 paying back to the society for their crimes or other  
4 misdeeds. The inmates were appreciated as human beings  
5 and voluntary, unpaid blood donations could be seen as  
6 a small step in the process of reintegration into the  
7 society outside prisons."

8 Again, presumably what is set out in that paragraph  
9 is not first-hand knowledge on your part because you  
10 weren't responsible for any prison sessions but rather  
11 it comes from what you have perhaps read on the subject?

12 A. These are just my philosophical considerations, yes.

13 Q. I understand. At paragraph 14 you say:

14 "On the other hand, it was conceivable that the  
15 donors might not be totally frank with their replies to  
16 the questions related to health matters or eg past use  
17 of intravenous drugs."

18 That of course depends on whether they were asked  
19 that question or not, "Have you ever used intravenous  
20 drugs?"

21 A. No, we did not.

22 Q. You didn't ask that question in Finland?

23 A. We took that question only in 1983, when AIDS came into  
24 the picture and we had the new recommendations.

25 Q. Yes. You go on to explain that:

1           "The peer pressure was and is known to be heavy  
2           under those circumstances. However, I believe many  
3           Europeans were thinking at the time that the drug  
4           problem is much smaller in European penal institutions  
5           as compared to the American ones."

6           When you state "at the time", what time period are  
7           you referring to?

8   A. I'm relating to the time between 1975 and 1983.

9   Q. Thank you:

10           "Most of the research on Hepatitis B was done in the  
11           United States. Therefore I think the investigators were  
12           readily willing to accept that the denial of using  
13           intravenous drugs was true. Secondly, in the 1970s it  
14           was well established that the occurrence of hepatitis  
15           virus was more common in prisoners as compared to the  
16           population at large. Wallace et al, 1972, assumed that  
17           the high incidence may be related to social habits and  
18           hygiene. This assumption was more or less copied to the  
19           later report by Barr and others in 1981. At the time  
20           when Wallace et al wrote their report, probably 1971,  
21           knowledge on the routes of infection was not as clear as  
22           it was later. Hepatitis A virus in contrast to  
23           Hepatitis B virus is water-borne and an infection could  
24           be related to poor hygiene. Other more realistic  
25           thoughts about the aetiology of Hepatitis B were

1 expressed by Dr Helske in 1973."

2 You refer to paragraph 5 of this statement. In that  
3 regard, if I could pause, professor, to look at the  
4 Wallace and Barr papers. The Wallace paper, please, is  
5 [\[SGH0029831\]](#). I think, professor, you were sent a copy  
6 of this --

7 A. Yes.

8 Q. -- paper by the Inquiry?

9 A. That's true.

10 Q. Have you any recollection of having seen this paper  
11 before that?

12 A. No.

13 Q. In short, in this study in the West of Scotland it was  
14 found that prison donors had a higher prevalence of  
15 Hepatitis B antigen than non-prison male donors; the  
16 higher prevalence being approximately five times. Then  
17 if we go to the next page, please, the right-hand  
18 column, about half way down the page:

19 "The high incidence of Australia antigen of 1 in  
20 153, 0.653 per cent in men prisoners has no obvious  
21 explanation. Viral hepatitis is not a serious clinical  
22 problem in the two institutions concerned and the  
23 positive donors are not drug addicts. What is not known  
24 is whether or not these men were Australia positive at  
25 the time of their first imprisonment. The high

1 incidence may be related to social habits and to  
2 hygiene."

3 Professor, if you had read that paragraph in 1972,  
4 what would have been your views on the postulated  
5 explanation for the higher prevalence of Hepatitis B in  
6 male prisoners?

7 A. Well, if the authors state here that the positive donors  
8 are not drug addicts, then I would have assumed that  
9 they have some way of controlling that and knowing that  
10 they are not drug addicts. We were a little bit  
11 concerned at that time that tattooing -- that was quite  
12 common in prisoners at that time. I was told already in  
13 the early 1960s that if you see a patient who has three  
14 blue spots here, that means that he is a real guy, he  
15 has spent some time in the prison.

16 Q. I think, professor, you referred to green and blue spots  
17 here?

18 A. Tattooing -- some little dots tattooed on the hand. So  
19 that these gangs should sort of recognise each other and  
20 therefore --

21 Q. Sorry, that was three blue spots on the hand?

22 A. On the hand, yes. But this is just a background for the  
23 fact that we were considering tattooing or needle  
24 piercing one of the risk factors within prisons and  
25 within prisoners and therefore, because Hepatitis B was

1 known to be transmitted by needle sharing and this type  
2 of poor hygiene for the tattooist, they were using the  
3 same needles, I would have thought that there was one  
4 way of confirming here that no drug addicts were indeed  
5 in the prisoners. Then I would have thought that, okay,  
6 it may well be so that it is related to social habits  
7 and to hygiene, whatever those social habits then are.

8 Q. What would you understand that last sentence to refer  
9 to:

10 "The high incidence may be related to social habits  
11 and to hygiene."

12 A. Social habits -- it is difficult for me to interpret  
13 that. Hygiene is, of course, means poor hygiene,  
14 whatever that means, I can't specify.

15 Q. Yes. And if you had read this article in 1972, would  
16 you have regarded the last sentence, that high incidence  
17 may be related to social habits and to hygiene, as  
18 a plausible explanation for the higher prevalence in  
19 male prisoners?

20 A. In 1972 I probably would have felt that it is  
21 a plausible explanation.

22 Q. Thank you. If I could then, please, look at the Barr  
23 article in 1981. The reference is [\[PEN0140068\]](#). Again,  
24 I think, professor, you were sent a copy of this paper  
25 by the Inquiry but had not seen it before.

1 A. That is correct.

2 Q. I think fairly similar findings to the Wallace paper,  
3 that in male prison donors in the West of Scotland there  
4 was an approximately five times higher prevalence of  
5 Hepatitis B than compared with non-prison male donors.  
6 I think you have seen that from the paper?

7 A. Yes.

8 Q. And if we could then look at the second paragraph,  
9 please:

10 "The incidence of Hepatitis B surface antigen in our  
11 blood donors is shown in table 1. In over 10 years of  
12 total screening we have tested in excess of 1 million  
13 blood donations. Despite the high incidence of HBsAg in  
14 male prisoners, 1 in 145, viral hepatitis is not  
15 a serious clinical problem in the institutions surveyed,  
16 and the positive donors are not drug addicts. This high  
17 incidence is probably related to social habits and  
18 hygiene."

19 Professor, if you had read that paragraph in 1982,  
20 what reaction, if any, would that have caused in you?

21 A. Well, after seeing Dr Helske's article in 1975, and  
22 after having discussed this problem briefly, when the  
23 people from the prison administration approached  
24 Dr Koistinen, I think that these explanations brought  
25 forward by Dr Helske -- that was illegal use of

1 intravenous drugs, needle sharing and then tattooing --  
2 were probably more plausible explanations than this one.  
3 And if I would have read this very carefully, I would  
4 have really questioned whether the explanation here is  
5 correct.

6 Q. You refer to Dr Helske's, I think, 1974 --

7 A. Yes, what I referred to -- 1975, when I came to the  
8 service and read that article.

9 Q. I see. That was my next question. So, yes, you read  
10 Dr Helske's 1974 report in 1975 when you came to the  
11 service?

12 A. Correct.

13 Q. The last sentence of this paragraph:

14 "This high incidence is probably related to social  
15 habits and hygiene."

16 If you had read that in 1982, do you think that was  
17 a possible explanation for the high incidence: social  
18 habits and hygiene?

19 A. I would have thought that it is possible but not  
20 probable.

21 Q. Why?

22 A. Because of our findings much earlier, that this is  
23 probably blood-borne and needs some piercing of skin,  
24 and therefore hygiene and social habits, which is a sort  
25 of very general statement, may not be all the

1 explanations for this high incidence.

2 Q. Thank you. Now, we can put that paper to one side  
3 again, professor, and return, please, to paragraph 16 of  
4 your statement, where you state:

5 "Taking into account the above considerations and  
6 the fact that the donations in Scottish prisons  
7 represented only a small fraction of the total blood  
8 supply, in my opinion it would have been reasonable to  
9 reconsider in Scotland in the latter part of the 1970s  
10 the policy of arranging blood donation sessions in penal  
11 institutions."

12 Professor, you say that it would have been  
13 reasonable to reconsider the policy of collecting blood  
14 in prisons. Do you consider that that policy ought to  
15 have been reconsidered at any point in the 1970s or  
16 early 1980s and, if so, when and why?

17 A. I think my feeling is that this matter should have been  
18 taken on the table and discussed in a logical way.  
19 Seeing what are the cons and pros of continuing this  
20 long practice goes long behind. However, the impression  
21 that I have received from reading these different  
22 documents that you sent me is that this matter was  
23 really not taken into serious consideration during the  
24 late 1970s up until 1981 and of course then 1983.

25 So my impression -- and this is just my impression



1 from reading these documents -- is that this tradition  
2 went on without really being seriously considered  
3 whether it should now be stopped because of various  
4 facts that had been published during the 1970s.

5 Q. If the matter had been considered, what do you consider  
6 ought to have been the conclusion at any time in the  
7 1970s and the early 1980s?

8 A. In my opinion, that should have been stopped, not  
9 necessarily, you know, from one day on, but sort of  
10 faded away, so that it would not have caused very much  
11 publicity and the impression of not taking prisoners as  
12 human beings. But the conclusion would be that I think  
13 it would have been reasonable to stop this old practice.

14 Q. It would have been reasonable to stop it. Was it  
15 reasonable to continue the practice in the 1970s and  
16 early 1980s?

17 A. In these circumstances, where, if I'm not mistaken, it  
18 was not seriously discussed, then I think that it was  
19 reasonable to understand that it went on, even though in  
20 my opinion it should have been seriously discussed and  
21 then made the conclusion that, no, it's much better not  
22 to go to prisons.

23 Q. One final question, professor, before I leave this  
24 particular topic of prison collection. We know,  
25 professor, that studies, both in the UK and in Finland,

1 in the early 70s showed a higher prevalence of  
2 Hepatitis B among prisoners. We know that Hepatitis B  
3 was a blood-borne virus. We know in the later 1970s  
4 that we have seen the reports from America of the  
5 possible or likely existence of non-A non-B hepatitis  
6 and, while the agent or agents are unidentified, that  
7 also appears to be a blood-borne disease.

8 Does it follow, professor, given knowledge of the  
9 increased prevalence of Hepatitis B among prisoners,  
10 that one could reasonably have predicted in, say, the  
11 late 1970s that one might also expect an increased  
12 prevalence of non-A non-B hepatitis among prisoners?

13 A. At least in retrospect one would say that one could have  
14 seen this connection and drawn that kind of conclusion.

15 Q. Why?

16 A. Just because it appeared then, on the basis of the  
17 American studies, that the non-A non-B, at least in  
18 1977/1978, is a blood-borne virus or viruses and very  
19 likely to be a virus. So if Hepatitis B is  
20 a blood-borne virus, then it is reasonable to think that  
21 the inmates would have also higher prevalence of this  
22 new, unknown virus.

23 Q. I don't --

24 A. Because the ways of acquiring the virus seemed to be  
25 quite similar.

1 Q. Yes. There is no suggestion of any studies in the late  
2 1970s, I think/early 1980s of the prevalence of non-A  
3 non-B hepatitis among prisoners. Really, what we are  
4 talking about is a theoretical or paper exercise, and  
5 you said, I think, that in the late 1970s in retrospect  
6 one could, I think, have reasonably predicted that there  
7 might be an increased prevalence of non-A non-B  
8 hepatitis among prisoners. You said in retrospect, if  
9 one had asked oneself that question in the late 1970s,  
10 based on the available knowledge at the time, could one  
11 have reasonably predicted that there may well be an  
12 increased prevalence of non-A non-B hepatitis among  
13 prisoners?

14 A. Hm-mm, yes.

15 Q. Thank you, professor. That then, I think, deals with  
16 the question of prisons.

17 If we could then, please, revert to your statement  
18 to finish this off, in paragraph 17 you move on to  
19 a separate matter to do with accepting donors with  
20 a history of jaundice. You say in paragraph 17:

21 "Of the policy of acceptance of donors with  
22 a history of jaundice, I have no information except for  
23 Finland."

24 In paragraph 18 you explain that:

25 "In the third edition of Professor Nevanlinna's

1 textbook (1967) it is written: 'The incubation time (of  
2 serumhepatitis) is notably long, on average 70 days,  
3 from one month to even half a year, which of course  
4 makes the clarification of the aetiology more  
5 difficult.' Consequently, 'If the donor has been ill  
6 with infectious jaundice, his blood must not be given  
7 before at least three years have lapsed. The wording of  
8 the latter sentence remained unchanged in the 4th and  
9 5th edition of the book (1972 and 1980) ... "

10 And you say:

11 "I do not know why the period of three years was  
12 selected as quarantine time. It might just reflect the  
13 prudent attitude of Professor Nevanlinna."

14 In paragraph 19:

15 "The donors were given a simple question: 'Have you  
16 or have you had jaundice (liver inflammation,  
17 hepatitis)?'"

18 You explain:

19 "[You] have not had access to documents of the time  
20 in question that would show the attitude towards other  
21 or unknown causes of past jaundice.

22 "[You] believe at least a history of having had  
23 jaundice as a baby did not preclude donation. [You]  
24 believe also that the donor would have been referred to  
25 a doctor if he had a history of jaundice of unknown

1 cause in adulthood. Depending on clinical evaluation,  
2 the donor may have been accepted or rejected for a  
3 period of three years or permanently."

4 In paragraph 20 you say that:

5 "On the basis of what was known at the time, in my  
6 opinion it was a reasonable policy to accept otherwise  
7 healthy individuals (after a quarantine time) but who  
8 gave a history of jaundice. In the past it was natural  
9 to think that the cause of jaundice would have been  
10 Hepatitis A since Hepatitis B would have been detected  
11 in the laboratory. However, once it became clear by the  
12 mid-1970s that after clinical Hepatitis B the patient  
13 may become a chronic carrier of the virus with HBsAg  
14 levels below detection limits and that there could be  
15 another hepatitis virus causing first jaundice and  
16 chronic carrier state without clinical symptoms, the  
17 policy could have been reconsidered. However, I think  
18 that precluding all donors giving a history of jaundice  
19 would not have had a major effect on the blood  
20 transfusion safety."

21 To pause there, professor, we know that in the UK,  
22 in both Scotland and England, from about 1975 donors  
23 with a history of jaundice were accepted as blood  
24 donors, provided the episode had occurred more than  
25 12 months previously -- that's the one-year quarantine

1 period -- and also, of course, they had tested negative  
2 for Hepatitis B using an appropriately sensitive test.

3 Do you consider that was a reasonable policy?

4 A. We were asking only about so-called "infectious"  
5 jaundice, and I believe that our policy was similar to  
6 that, in Finland.

7 Q. I'm grateful. You also say, in the final paragraph of  
8 paragraph 20:

9 "Precluding all donors giving a history of jaundice  
10 would not have had a major effect on blood transfusion  
11 safety."

12 Can you explain why, please?

13 A. I think that the attitude towards transfusion safety is  
14 now quite different from what it was. Once again, these  
15 people with jaundice, some of them may have had  
16 subclinical disease and they could have been carriers of  
17 non-A non-B hepatitis and because the number of people  
18 who would state that they have had infectious jaundice  
19 would have been very small, I think that, taking into  
20 consideration what has happened since those times, it  
21 would have been reasonable to say that they are not  
22 entitled to give blood any more because that could have  
23 been an identified group with potential risk that would  
24 not have influenced too much the blood supply.

25 Q. Yes. Dealing with paragraph 20, the final sentence,

1 your conclusion that -- so this, professor, is with the  
2 benefit of hindsight. So in retrospect, even if  
3 a country had excluded all donors with a history of  
4 jaundice, I think your conclusion is that that would not  
5 have had a major effect on blood transfusion safety.  
6 Why is that? What are the reasons for that?

7 A. What we know about these diseases right now is that the  
8 vast majority of the carriers of either the Hepatitis B  
9 or Hepatitis C virus, they have not had any jaundiced  
10 phase in their disease. The vast majority is really  
11 subclinical and maybe not causing any symptoms at all.  
12 On the other hand, some people with acute infectious  
13 hepatitis, especially Hepatitis A, they recover  
14 completely from that jaundice and they are not hazardous  
15 to the blood transfusion matter. So in light of what we  
16 know now, I don't think that precluding people giving  
17 a history of jaundice would have very much influenced  
18 the final blood safety.

19 Q. I understand. Then, finally, in paragraph 21 you do  
20 say:

21 "On the basis of what we know now and after the much  
22 changed attitude toward blood transfusion safety and  
23 risks due to infectious agents, it would have been  
24 reasonable to decide that donors with a history of  
25 jaundice were not eligible for blood donation."

1           Why do you say that? What has changed?

2   A. Well, in my thinking I was thinking of a situation where  
3       a regular donor, who has given 60 times blood and so on,  
4       and this particular rule is introduced in the climate  
5       that was prevalent at that time, when transfusion safety  
6       was really not a big media issue and so on and if we  
7       would have introduced a total ban to all these people  
8       who had had jaundice in their childhood or whenever --  
9       a regular donor, who would have given his blood 50 or  
10      60 times, would have asked, "Have I done some harm  
11      before? I have given over a period of 15 years my blood  
12      and now all of a sudden you say that I'm dangerous and  
13      you have no proof of me being dangerous." Therefore,  
14      what I mean in this paragraph is that it could have  
15      produced quite a bit of resentment against the  
16      transfusion service, with the untoward effects then on  
17      donor recruitment.

18   Q. Professor, finally, my last question. In the  
19      first sentence of paragraph 21 you talk about the much  
20      changed attitude toward blood transfusion safety and  
21      risks due to infectious agents. Is that a change which  
22      happened gradually over time or is that a change as  
23      a result of a single event or issue or what?

24   A. It started with the shock in 1983 when it was recognised  
25      that AIDS, a new disease, could be transmitted by blood.



1       Then I think that it gradually grew over the years. We  
2       had mad cow disease and avian flu and so on and, because  
3       this came very much into the press and the media was  
4       full of the risks of transfusion, therefore I think that  
5       the attitude also within professionals changed quite  
6       a bit. It was not acceptable any more to have a certain  
7       very low degree of complications that was coming as  
8       a natural thing, like after major surgery you have to  
9       accept that sometimes there are complications. Now this  
10      was not acceptable as far as blood transfusion was  
11      concerned and therefore the attitude was quite different  
12      after, let's say, the 1980s.

13   Q. Thank you. Thank you, professor. I have no further  
14      questions, sir.

15   THE CHAIRMAN: After lunch, gentlemen.

16      (1.01 pm)

17                               (The short adjournment)

18      (2.00 pm)

19   THE CHAIRMAN: Professor, I would like to get an impression  
20      of changing professional attitudes over three particular  
21      dates: 1977/78, when you were in San Francisco, 1981  
22      when the MMWR announced the first five cases of what  
23      became known as AIDS and 1983, when the impact on the  
24      wider patient population began to be felt.

25                When you were in San Francisco in 1977/78, what was

1 the preoccupation? What were people in your field  
2 interested in?

3 A. Well, I was personally working in the cellar of the  
4 blood bank in San Francisco and there were very few news  
5 about the possible Acquired Immunodeficiency Syndrome  
6 going down to our little research room, and I don't  
7 think that I would have been really mentally aware of  
8 this kind of new disease coming into existence. There  
9 were many different diseases and from my personal  
10 recollection, I do not think that before summer 1978  
11 when I came back to Finland, I would have been really  
12 aware of this kind of new disease.

13 As to your second question, in 1981, if I recall it  
14 right, we were quite interested in this now new disease  
15 because of its possible links to the haemophilia patient  
16 in California. And if I recall it right, there were  
17 three risk groups and one was Haitian --

18 THE CHAIRMAN: Immigrants from Haiti?

19 A. Yes, Haitian people moving to the United States.  
20 Secondly was promiscuous male homosexuals and thirdly  
21 were users of intravenous drugs. And then we thought in  
22 Finland at that time that, well, we don't have anybody  
23 that we would know who would come from Haiti. So that  
24 was sort of cleared. Secondly, these two other risk  
25 groups we were thinking that, okay, let's see what

1 happens in the United States. It is interesting to  
2 follow what is going on there but this is not really  
3 something that concerns our conditions in the north.

4 In 1983 I organised a meeting when I had moved to  
5 Geneva for the League of Red Cross Societies in 1982.  
6 In that capacity I arranged a meeting in Washington with  
7 Dr Barker, who had become the head of the American  
8 Red Cross blood programme and there he explained to us  
9 the situation in the United States and then it became  
10 quite clear to me that this is a new hazard for blood  
11 transfusion, and it cannot be confined to North America  
12 but it is certainly coming also to Europe.

13 In my international capacity, I felt that I have to  
14 give this message to my colleagues internationally also  
15 and since we had then in May this Council of Europe  
16 expert group meeting that I referred to already earlier,  
17 I took this matter up and said that we must do something  
18 about this before it really spreads over Europe, that it  
19 is certainly coming and therefore we made some  
20 recommendations how to possibly avoid this kind of  
21 disease, and thirdly, I then wrote to my little  
22 newsletter also a story about this and then repeated  
23 these recommendations that we had given at the  
24 Council of Europe.

25 So this recognition that it is not something that is

1 confined to the United States in certain -- I would call  
2 suspicious circles, but it was really something that was  
3 spreading and we had to do something about that also in  
4 Europe.

5 THE CHAIRMAN: Thank you very much. So even though you had  
6 been in San Francisco in 1977/1978, the 1981  
7 announcement didn't really catch your attention at that  
8 stage as being relevant to Europe; it was 1983 --

9 A. Not relevant to European transfusion services.

10 THE CHAIRMAN: To transfusion services, yes.

11 Thank you very much.

12 Mr Di Rollo?

13 Questions by MR DI ROLLO

14 MR DI ROLLO: Professor, obviously from the evidence that  
15 you have given us this morning in relation to the  
16 decision in Finland as to whether or not to collect  
17 blood from prisoners, that decision you have told us was  
18 taken before 1975 and you, I think, have also described  
19 in the material in your statement and in your evidence  
20 that there was one director who had a clear  
21 responsibility for decision-making, and I think you have  
22 also indicated that the decision was taken apparently  
23 purely on medical grounds; it was for medical  
24 considerations. No other considerations came into play.  
25 Is that all correct?

1 A. That is all correct, yes.

2 Q. One thing I think is fairly obvious from your evidence  
3 also is that the decision seems to have been taken  
4 without any regard to the existence of non-A non-B  
5 hepatitis. Is that also correct? Is that too  
6 simplistic a view --

7 A. I think that's correct, yes.

8 Q. You do think that is correct?

9 A. Yes.

10 Q. One thing I would like you to comment on is that there  
11 is evidence that has been given from witnesses and  
12 material before the Inquiry, I think, which is to the  
13 effect that one possible reason for not doing anything  
14 about donations from prisons is the fact that it was  
15 felt that non-A non-B hepatitis was perhaps a benign  
16 condition, or a relatively benign condition. Obviously  
17 that consideration didn't come into play in the  
18 decision-making that took place in Finland. Is that  
19 correct?

20 A. Well, there were two factors behind the decision in  
21 Finland. First of all, it was realised that not all  
22 Hepatitis B cases were recognised by this test, that  
23 a good part of the people that were screened were  
24 negative with the new test but still were carrying the  
25 Hepatitis B virus and that was known that it was

1 a serious disease.

2 In addition to that, then was the possibility other  
3 viruses existing and probable existence of other  
4 viruses. So if we would avoid transfusing Hepatitis B,  
5 that was not detectable by those tests that were used at  
6 that time, then an additional factor was that we would  
7 also avoid those viruses that presumably were also  
8 within prisoners.

9 Q. And those other viruses were relatively benign or not  
10 didn't play a part in the decision-making process?

11 A. I think it took part in that we did consider that they  
12 were relatively benign and not always causing serious  
13 disease with jaundice and cirrhosis later on. Yes.

14 Q. So notwithstanding the fact that these other viruses  
15 were relatively benign, it was still felt inappropriate  
16 to take blood from prisoners?

17 A. Yes.

18 Q. I see. So does it follow from that that it would be not  
19 really reasonable to allow that consideration, ie the  
20 perception of the benignness or otherwise of non-A non-B  
21 hepatitis or other viruses, that that shouldn't really  
22 play a part in any decision-making that takes place? Do  
23 you understand my question?

24 A. I think I understand that. Well, it very much depends  
25 then on the relationship between its influence to the

1 blood supply and the relative risk, how it was seen at  
2 that time, whether it really is worth stopping going to  
3 those populations, to the penal institutions.

4 I think that at that time it was still mentally  
5 acceptable to have something that is a benign  
6 consequence of blood transfusion in the 1970s up until  
7 the era of AIDS. The aim was not to eliminate all risks  
8 of blood transfusion but the reasonable number of risks  
9 at that time.

10 Q. So --

11 A. I don't know if this answers your question.

12 Q. What I'm trying to understand is whether or not the  
13 understanding of the consequences as being benign or not  
14 is a material consideration in taking the decision at  
15 this time.

16 A. I think that it influenced, in the background, the  
17 decision. However, the main emphasis was really  
18 prevention of Hepatitis B at that time and therefore it  
19 is very difficult for me to say now, 35 years after that  
20 period, what was our impression of non-A non-B hepatitis  
21 at that time, whether or not that could possibly be  
22 prevented if that played a very crucial role in that  
23 type of decision or not.

24 Q. Right. So --

25 A. But once it became clear that it is a blood-borne virus

1 and so on, and as was shown in the late 1970s, that  
2 indeed it does cause disease and this disease is not  
3 necessarily mild, then I think that in a case where  
4 there is a possibility to prevent that, even if the  
5 measure is not very effective but still if that is  
6 possible, then I think it should have been done.

7 Q. Right. You are saying that would apply to the late  
8 1970s?

9 A. That applies to the late 1970s, yes.

10 Q. I understand.

11 Thank you, sir, that's all I want to ask.

12 THE CHAIRMAN: Mr Anderson?

13 Questions by MR ANDERSON

14 MR ANDERSON: I'm obliged sir.

15 Good afternoon. Can we discuss the  
16 Council of Europe reports. I think you were the lead  
17 author in relation to one of those. Is that correct?

18 A. You mean how this group worked or -- I didn't quite  
19 understand your question. What this Council of Europe?

20 Q. Am I right in thinking that there were three reports,  
21 one in 1980 dealing with self-sufficiency, one in 1981  
22 dealing with the care of haemophiliacs and one in 1983  
23 dealing with AIDS. Is that correct?

24 A. Yes.

25 Q. I think we know from your third statement, which we



1 haven't in fact looked at today, that in relation to the  
2 third of those reports in 1983, you tell us that there  
3 was a small drafting group, including yourself, who made  
4 recommendations for the member states of the  
5 Council of Europe. Is that right?

6 A. Yes.

7 Q. Right. In relation to the first two reports -- that is  
8 to say 1980 and 1981 -- would I be right in thinking  
9 that they made reference to hepatitis and to high risk  
10 populations but did not make any reference to the  
11 question of donations from prisoners?

12 A. That is very difficult for me to remember, since  
13 I became a member of that group only in 1982 when  
14 I moved to Geneva and became an official observer to  
15 that group. So those reports that were given before  
16 1982 I was not personally involved in, and I must say  
17 that I was not particularly interested in those thick  
18 reports and therefore it is very difficult for me to  
19 comment on that.

20 Q. All right. I can well understand that but perhaps if  
21 I simply suggested to you that my information is that  
22 those two reports in 1980 and 1981 did deal with  
23 hepatitis with high risk populations but made no mention  
24 of donations from prisoners, you wouldn't be in  
25 a position to deny that?

1 A. That sounds very reasonable to me.

2 Q. All right. Turning to 1983, which of course you are far  
3 more familiar with, that, we remind ourselves, really  
4 dealt with the question of AIDS. Is that correct?

5 A. Yes.

6 Q. Which, as you have just confirmed in answer to the  
7 chairman's question, was something that's becoming of  
8 very real concern in Europe in 1983. Is that right?

9 A. Yes.

10 Q. And am I right in thinking, with the caveat that we are  
11 dealing of course with AIDS in that paper, that that  
12 recommendation paper listed various high risk groups?  
13 Is that correct?

14 A. That is true.

15 Q. And am I right in thinking that that again didn't make  
16 any mention of prisoners?

17 A. No.

18 Q. Just to be clear, when you say "no", are you agreeing  
19 with me? Is that right?

20 A. That's right.

21 Q. I'm obliged to you, thank you.

22 THE CHAIRMAN: If the question was very clear, I'm sure the  
23 professor would have no difficulty.

24 MR ANDERSON: Oh well, I thought it was clear enough but  
25 there we are.

1           The ISBT Paris 1976 document that we looked at this  
2 morning -- do you remember that? -- can I just  
3 understand this: how should one weigh the significance  
4 and effect of the guidelines within the ISBT publication  
5 as opposed to recommendations that may or may not be  
6 within the Council of Europe documents?

7 A. Well, I think that the big difference was that ISBT was  
8 international and many of these recommendations were  
9 directed towards developing countries and trying to  
10 help, let's say, developing transfusion services with  
11 guidelines on how to establish a transfusion service and  
12 how to go ahead, whereas the Council of Europe group was  
13 clearly from industrialised western European countries  
14 with developed transfusion services, and it was  
15 understood within the groups that these recommendations  
16 are recommendations and they should be interpreted  
17 against the national circumstances where each and every  
18 member was active, and therefore the interpretation of  
19 those different recommendations would have been  
20 different in different countries and even in different  
21 transfusion services in western Europe.

22 Q. I'm obliged to you.

23           I mean, are you aware, professor, of any published  
24 guidance during the 1970s and 1980s in Europe from any  
25 governmental advisory committee, or statutory or

1 regulatory body, on the question of prison donations?

2 A. No.

3 Q. Would I be right in thinking that the first clear and  
4 unequivocal guidance from any regulatory body, anywhere  
5 in the world, was that which emanated from the FDA in  
6 1995 on the question of prison donations?

7 A. That was probably the first binding regulation, yes. Of  
8 course, the Council of Europe recommendation came from  
9 the committee of ministers. So it carried some official  
10 weight but it was still a recommendation and not  
11 mandatory rule.

12 Q. I understand. Can we look together, professor, at your  
13 second statement. That's [\[WIT0030027\]](#). In particular  
14 at paragraph 15. I would like to discuss this with you  
15 if I may. We should see that on the screen.

16 A. Yes, I have it here.

17 Q. You say there:

18 "Secondly in the 1970s it was well established that  
19 the occurrence of hepatitis virus was more common in  
20 prisoners as compared to the population at large."

21 You pray in aid the Wallace paper, the Barr paper.  
22 Now, as I understand it, professor, you yourself were  
23 unaware of the Wallace paper and the Barr paper until  
24 being invited to give evidence before this Inquiry.  
25 I think I'm right in saying you were unaware of the

1 minutes of the World Health Organisation in October 1974  
2 we looked at this morning, and the  
3 World Health Organisation expert committee in 1978. You  
4 told us that you weren't aware of what the position was  
5 in relation to donations from prisoners in either  
6 Switzerland or the Netherlands. So I'm just wondering  
7 on what basis you say it was well established. Do you  
8 essentially depend on Dr Helske's paper for this view?

9 A. Pretty much so, yes. He refers to a number of studies.

10 Q. All right. That, I think, we see was published in the  
11 Scandinavian Journal of Haematology. Is that correct?

12 A. Hm-mm.

13 Q. I can understand that you may not but do you know if  
14 that is a journal that is well-known in the  
15 United Kingdom?

16 A. It should be within the haematologists.

17 Q. You say you weren't aware of the Wallace article which  
18 was published in the British Medical Journal, which,  
19 perhaps, because it is one that I'm familiar with,  
20 I thought would be one of quite high standing and well  
21 renowned in the world. Is that right?

22 A. Hm-mm.

23 Q. I just wonder why you think that those in Scotland in  
24 particular, and in Britain in general, should be aware  
25 of an article in a Scandinavian Journal of Haematology

1 if these other articles, for example, are unknown to  
2 you?

3 A. First of all it was a supplement to the Scandinavian  
4 Journal of Haematology. So it was not a regular issue,  
5 this thesis work, and it is very natural that these  
6 supplements would not be followed as keenly as the  
7 regular issues with the regular scientific articles.

8 As to the question why in Scotland that would have  
9 gone unnoticed as you referred to, I have no comments.  
10 But I believe that the British haematologists were  
11 following also that journal because in Scandinavia the  
12 level of haematology was considered to be rather high.

13 Q. All right. It is just that -- and I appreciate this is  
14 a matter that you are really unable to comment upon --  
15 we haven't heard of this article previously in this  
16 Inquiry and we have had a number of those in the witness  
17 box, as it were, and this is the first reference to it.

18 All right. Can we go on then to paragraph 16, the  
19 next paragraph. You say:

20 "Taking into account the above considerations and  
21 the fact that the donations in Scottish prisons  
22 represented only a small fraction of the total blood  
23 supply, in my opinion it would have been reasonable to  
24 reconsider in Scotland in the latter part of the 1970s  
25 the policy of arranging blood donation sessions in penal

1 institutions."

2 Standing the state of knowledge in the 1970s,  
3 professor, about Hepatitis B, and if we remind ourselves  
4 that the Wallace paper, for example, talked of viral  
5 hepatitis not being a serious clinical problem in the  
6 two prisons concerned and the thought that it was mild  
7 and asymptomatic, I just wonder, professor, if, with the  
8 greatest of respect, this opinion you voice now is not  
9 one that is tainted with the benefit of hindsight. Is  
10 that not possible?

11 A. Well, what I'm trying to say here is that at the time  
12 when this Wallace paper was published, the question of  
13 donation in prisons was not generally discussed at all;  
14 you know, it went on and on since the 1950s and 1940s.  
15 However, it seems to me, especially now that I have had  
16 the opportunity to look at the papers from 1975, there  
17 was discussion in the 1970s -- especially in 1975 -- as  
18 to the avoidance of certain risk groups, including also  
19 prisons. And therefore I think, as I say here, that  
20 reconsideration of this matter in the late 1970s would  
21 have been -- the latter part of the 1970s -- would have  
22 been reasonable and if all the arguments and all the  
23 papers, including Dr Helske's, would have taken to the  
24 table and then discussed and on the basis of that then  
25 decided that, no, there is no danger in prisoners and so

1 on, I think that would have been different and I would  
2 have said that this decision is wrong.

3 However, I have the impression that this question of  
4 whether or not to go to prisons never was taken up as  
5 a serious matter to be discussed and to be judged  
6 according to the data available at that time.

7 Q. If you are right in the inference that you draw that it  
8 simply wasn't discussed, is it possible, do you think,  
9 professor, that the reason it was not discussed is  
10 because there was not any perceived problem because of  
11 the knowledge then current about Hepatitis B?

12 A. When you say "perceived problem", I would agree with you  
13 and this just shows that it didn't come up -- nobody  
14 really raised the question -- this is my impression --  
15 as much as to put on the table and to discuss it very  
16 seriously. So I would agree with you that the problem  
17 of whether or not going to prisons was not perceived to  
18 be a very serious question.

19 Q. You referred at the beginning of your previous answer,  
20 I think, professor, to discussions in 1975. Were those  
21 the discussions you referred to this morning, which  
22 involved Dr Maycock?

23 A. Hm-mm.

24 Q. And I think you have been shown the letter from  
25 Dr Yellowlees, the chief medical officer. Do you



1 remember that? Which understandably I think you hadn't  
2 seen until you were invited to give evidence before this  
3 Inquiry. That letter we saw started by saying that the  
4 department has recently received advice from a group of  
5 experts. I think we know that that group of experts  
6 included Dr Maycock. Is that right?

7 A. Yes.

8 Q. So notwithstanding whatever the discussions were in  
9 1975, the advice that appears to be being disseminated  
10 by the British Government in May 1975 was that it was  
11 not necessary to discontinue the collection of blood at  
12 prisons. Do you see that?

13 A. Yes.

14 Q. I understand your position is that you don't perhaps see  
15 the logic of it, but there we have it in any event. Is  
16 that right?

17 A. Well, I think that it shows that if somebody within the  
18 profession would have taken this matter up, the rest,  
19 who would prefer keeping the routine on, would then  
20 refer to that particular letter and saying that, "No, it  
21 is not a problem".

22 Q. There were reasons for and against, though, weren't  
23 there?

24 A. Hm-mm, that's right.

25 Q. I think what you are saying is it was a matter for

1 discussion?

2 A. Hm-mm.

3 Q. The way you put it is weighing the pros against the  
4 cons. Is that right?

5 Professor, thank you very much.

6 THE CHAIRMAN: Mr Sheldon?

7 Questions by MR SHELDON

8 MR SHELDON: Just to confirm one thing with you. Dr Maycock

9 I think you said was present in the discussions prior to  
10 1975 about Hepatitis B and hepatitis testing, is that  
11 right, and I think you refer to Council of Europe  
12 discussions?

13 A. He was representing the United Kingdom at that group and  
14 therefore I believe he was in those discussions.

15 Q. Yes, what was Dr Maycock's perceived status at that time  
16 in terms of his expertise?

17 A. I think he was very highly regarded, as was the whole UK  
18 system, Scotland, England and Wales. With the high  
19 degree of donation rate and also the all voluntary  
20 non-remunerated donor base, and I never met personally  
21 Dr Maycock but I understand that he was quite highly  
22 regarded, although some people have said that he was  
23 quite a stubborn person, but this is third or fourth  
24 hearing, but in general these people who were attending  
25 these meetings, especially those who were active in the

1 meetings -- there was a number of people who wouldn't  
2 say a word but then those who were active, they were  
3 quite aware of their position and they didn't hesitate  
4 to bring this forward and also many were saying that in  
5 my country the conditions are a little different so we  
6 interpret this differently.

7 Q. Thank you very much.

8 THE CHAIRMAN: Professor, thank you very much indeed.

9 I hope you get your plane.

10 A. Thank you.

11 THE CHAIRMAN: I was tempted to ask how many stubborn people  
12 there were around the table at these meetings but  
13 I thought it was unwise to follow it.

14 MR MACKENZIE: Sir, there are no further witnesses today but  
15 if I could perhaps just spend two minutes tidying up one  
16 or two loose ends on this topic C1 before we leave it.

17 Sir, there are three witness statements which  
18 I should perhaps tender to you so they form part of the  
19 record, albeit it will be a matter for you, sir, of what  
20 weight to place, if any, on the content of the  
21 statements, given that the evidence will be untested.

22 Firstly Dr Brookes, who was the regional director in  
23 Dundee, is unable to attend but has provided a helpful  
24 statement, [\[WIT0030057\]](#). Secondly, sir, Dr Ian  
25 MacDonald, who was a former deputy and then chief

1 medical officer in Scotland, has provided a statement,  
2 [\[WIT0030023\]](#). But in short his evidence is not  
3 particularly relevant to this topic for reasons that are  
4 clear when one looks at the statement. It wasn't his  
5 responsibility, essentially, blood. So I have not  
6 called him to attend. Also Mr John Wastle was a senior  
7 executive officer in SSHD, I think, with some  
8 responsibility for blood matters for about one and  
9 a half years. His statement is [\[PEN0100316\]](#). Again, I  
10 haven't called him, sir, given his evidence is very much  
11 by way of background.

12 In addition Dr Archibald McIntyre with SHHD has  
13 provided a helpful statement, [\[WIT0030013\]](#).  
14 Investigations are ongoing as to whether Dr McIntyre is  
15 able to attend or not at a later date. So his statement  
16 is there if he is not able to attend. If he is able to  
17 attend, I think we will perhaps ask him to come along.

18 Dr Mitchell, who did come along, sir, I didn't go  
19 through his CV but I should refer to it. It is  
20 WIT0030413. Then Dr Dow, of course, is to return at  
21 a later block to complete his C1 evidence. I think the  
22 only two further matters, sir, under this topic are  
23 firstly, I have previously indicated I will produce  
24 a short note in conjunction with the SNBTS identifying  
25 the various different guidance documents on donor

1 selection, the manufacture of blood products and the use  
2 of blood and blood products; and I will do that, sir.

3 Then one final matter is a line of investigation  
4 which the Inquiry will seek to raise with the  
5 Scottish Government and that concerns, sir, the matter  
6 you had raised, namely who in the SHHD had  
7 responsibility for the health of prisoners, and  
8 hopefully we can obtain some information on the prison  
9 medical service and what might have been known by them  
10 at the relevant time.

11 That, sir, then completes the C1 topic and we are  
12 back tomorrow on the question of statistics with  
13 Professor Ludlum and Dr Tait.

14 THE CHAIRMAN: It did occur to me, thinking about things,  
15 that the prison inspectorate which we know has been very  
16 active in recent times might have existed for some  
17 considerable time and I don't know whether there is any  
18 material in the annual reports of the inspector that  
19 might help.

20 MR MACKENZIE: I will check that, sir.

21 THE CHAIRMAN: You will check that.

22 Mr Di Rollo, Mr Anderson, Mr Sheldon, the statements  
23 that have been tabled and not spoken to are statements  
24 that I would probably treat as valuable for what they  
25 contained unless you were to draw to my attention any

1 particular areas that were actually or potentially  
2 controversial. I have not read them. So I can't help  
3 you with that exercise at the moment. But I think it  
4 would be very helpful if you would let Mr Mackenzie know  
5 of any particular points on which you take issue so that  
6 they can be addressed as best possible.

7 MR DI ROLLO: Very good.

8 MR ANDERSON: I'm obliged.

9 MR MACKENZIE: That's it for today, sir.

10 THE CHAIRMAN: Thank you very much.

11 (2.42 pm)

12 (The Inquiry adjourned until 9.30 am the following day)

13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

PROFESSOR ERKKI JUHANI LEIKOLA .....1  
(sworn)

Questions by MR MACKENZIE .....1

Questions by MR DI ROLLO .....92

Questions by MR ANDERSON .....96

Questions by MR SHELDON .....106

